

Remote Deposition of: Fei-Chiu Huang, Ph.D., P.E.

Jill Mann vs. Las Brisas Pacificas, Inc.

February 4, 2026



KAMRYN | WHITNEY
COURT REPORTING

18881 Von Karman Ave., #1175, Irvine, CA 92612
949.861.8680 | info@kwcourtreporting.com

"We work twice as hard for you"

THE SUPERIOR COURT OF THE STATE OF CALIFORNIA
COUNTY OF SAN DIEGO

JILL MANN, an individual,)	
)	
)	
Plaintiff,)	
)	
vs.)	CASE NO. 24CU015304N
)	
LAS BRISAS PACIFICAS, INC.,)	
a California mutual benefit)	
common interest development)	
corporation; and DOES 1-20,)	
inclusive,)	
)	
)	
Defendants.)	
)	
<u>AND RELATED CROSS-ACTIONS.</u>)	
)	

REMOTE DEPOSITION OF EXPERT FEI-CHIU HUANG, Ph.D., P.E.
CALIFORNIA
WEDNESDAY, FEBRUARY 4, 2026

Reported by: OLIVIA BUTELO, CSR NO. 13651
Appearing remotely from Orange County,
California
Job No. 128617

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE SUPERIOR COURT OF THE STATE OF CALIFORNIA
COUNTY OF SAN DIEGO

JILL MANN, an individual,)	
)	
)	
Plaintiff,)	
)	
vs.)	CASE NO. 24CU015304N
)	
LAS BRISAS PACIFICAS, INC.,)	
a California mutual benefit)	
common interest development)	
corporation; and DOES 1-20,)	
inclusive,)	
)	
)	
Defendants.)	
_____)	

REMOTE DEPOSITION OF FEI-CHIU HUANG, Ph.D., P.E.,
taken on behalf of the Plaintiff, commencing at
9:46 a.m., on Wednesday, February 4, 2026, before
OLIVIA BUTELO, CSR No. 13651, a Certified Shorthand
Reporter in and for the State of California.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES OF COUNSEL:

FOR THE PLAINTIFF:

LS CARLSON LAW
BY: VASKO C. ALEXANDER, ESQ.
85 Enterprise
Suite 310
Aliso Viejo, California 92656
949.421.3030
valexander@lscarlsonlaw.com

FOR FEI-CHIU HUANG, Ph.D.; P.E., THE
DEFENDANT:

WASSERMAN KORNHEISER COMBS LLP
BY: CRAIG L. COMBS, ESQ.
7955 Raytheon Road
Suite A
San Diego, California 92111
858.505.9500
ccombs@wk4law.com

ALSO PRESENT:

JILL MANN

SCOTT CASSIDY, Board president

ERIN McLEMORE, Student court reporter

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

WITNESS: Fei-Chiu Huang, Ph.D., P.E.

EXAMINATION	PAGE
Mr. Alexander	6

INFORMATION REQUESTED:

(None.)

WITNESS INSTRUCTED NOT TO ANSWER:

(None.)

QUESTIONS MARKED:

(None.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX FOR EXHIBITS

EXHIBITS		PAGE
Exhibit 1	Las Brisas Expert Designation	8
Exhibit 2	Geo Thumb Map	17
Exhibit 3	Geology References	25
Exhibit 4	Geologic Map	26
Exhibit 5	Oceanside Pamphlet	27
Exhibit 6	Water Usage Graph	28
Exhibit 7	Carlsbad McClellan Palomar Airport Rainfall and Costa Heights Elementary Rainfall	40
Exhibit 8	Dr. Huang's Report	189

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CALIFORNIA - WEDNESDAY, FEBRUARY 4, 2026

9:46 A.M. - 3:19 P.M.

-o0o-

COURT REPORTER: My name is Olivia Butelo. I'm a California Certified Shorthand Reporter, CSR license number 13651. Today's date is Wednesday, February 4, 2026.

FEI-CHIU HUANG, Ph.D., P.E.,
the witness, having been administered an oath in accordance with CCP Section 2094, testified as follows:

EXAMINATION

BY MR. ALEXANDER:

Q My name is Vasko Alexander. I am counsel for Plaintiff Jill Mann, who is present with us today.

Before we get into the substance of the deposition, I'd like to reflect on the record that Mr. Huang and his counsel, Mr. Combs, insisted that they be prepaid for this deposition for an anticipated amount of time, which I believe is going to be three hours. And I want to confirm on the record that Ms. Mann has Venmo'd Mr. Combs the amount of \$1620, reflecting three

1 hours of deposition.

2 And, Mr. Combs, have you received that dollar
3 amount?

4 MR. COMBS: I have.

5 MR. ALEXANDER: And are you prepared to continue
6 with the deposition now?

7 MR. COMBS: I'm prepared to commence the
8 deposition.

9 BY MR. ALEXANDER:

10 Q Okay. Mr. Huang, as I said, I'm counsel for
11 plaintiff in this matter. You have been retained by the
12 association as its expert; correct?

13 A Yes.

14 Q And what is the scope of your retention?

15 A My scope of retention is to review the site
16 review, review the -- any available background
17 information provided by Mr. Combs, and to provide -- do
18 the -- perform the site visit, and provide preliminary
19 opinions regarding the concession of the surficial slope
20 failure that occurred on or around April 27 of 2023.

21 Q Did I hear you correctly that you were asked
22 to provide only preliminary opinions?

23 A Well, basically, it's my opinions, okay? But
24 in my report, because I -- all I did is a limited
25 review, so it could be subject to change with further

1 information or documents provided to me. And that may
2 change my preliminary opinions. But at this time, I
3 don't think that that there will be anything that will
4 alter my opinions.

5 Q So your opinions today are final; correct?

6 MR. COMBS: Objection. Mischaracterizes his
7 testimony.

8 BY MR. ALEXANDER:

9 Q Are you prepared to offer your final opinions
10 that you anticipate to provide at trial in this case,
11 Mr. Huang?

12 A Yes.

13 Q Okay. I'm going to share my screen with you
14 and I'm going to mark as Exhibit 1, the HOA's expert
15 designation.

16 (Exhibit 1 marked.)

17 A Okay.

18 Q Can you see my screen?

19 A Yes. If you can zoom in a little bit, it
20 would be better.

21 Q Well, let me ask you this: Do you have your
22 job file in this matter in front of you?

23 A Yeah, I have my job file, yes.

24 Q Okay. So it might be easier, as I open these
25 documents, for you to open them from your job file.

1 Okay?

2 A Okay.

3 Q That way you can zoom in and out however you'd
4 like.

5 Exhibit 1, again, is the HOA's expert witness
6 designation.

7 A Okay. Yeah, I can see it.

8 Q And I'm looking at the declaration of
9 Mr. Combs, which identifies you in paragraph 3; correct?

10 A Yes.

11 Q Did you review this declaration before it was
12 served on plaintiff?

13 A Yes, I did.

14 Q Do you agree with all the information that is
15 contained in this declaration?

16 A Yes.

17 Q What was the method of your review of this
18 declaration? In other words, was it sent to you via
19 email or how did you review it?

20 A I believe this was sent to me with an email by
21 Mr. Combs that he told me that you're going to depose
22 me. And so I will -- I -- not depose me, I mean, send
23 me the -- he will retain me as an expert for this cause.

24 Q That I would retain you as the expert for this
25 case, is that what you just testified?

1 A No, no, no. This notice of the -- you know,
2 is the one to let me know that I will be retained as the
3 expert on half the HOA in this case. And that is the
4 detail described in this designation.

5 Q So prior to this document being served on or
6 about January 2, 2006, were you retained by the HOA as
7 the expert?

8 A Well, yeah, Mr. Combs called me. He said that
9 he has a case. He wanted to retain me as the expert and
10 he wanted me to be involved as the expert to evaluate
11 and investigate this case due to the surficial slope
12 failure that they have issues with the plaintiff.

13 Q Okay. On page 3 at line 15, we have
14 subparagraph B. Do you see that language?

15 A Yeah.

16 Q It agrees that Dr. Huang has agreed to testify
17 and provide his professional opinion -- which is
18 misspelled -- in this case with respect to the nature
19 and cause of the slope failure at issue in this action,
20 as well as the sufficiency of the repairs made to the
21 slope.

22 A Yes.

23 Q Is that the scope of your expert retention in
24 this matter?

25 A Well, yeah, basically concessions of the slope

1 failure and whether the slope repair performed, are, you
2 know, to date, there was poor grade for this surficial
3 slope failure or not, yes.

4 Q So you're prepared to offer the following two
5 opinions at trial: The causation of the slope failure
6 and the sufficiency of the repair conducted of the
7 slope; correct?

8 A Yes.

9 Q Those are the only two opinions you're going
10 to be offering at trial; correct?

11 A Well, that is the main opinions, yes. But
12 there may be other things that may be asked at the time
13 of trial.

14 Q Such as what? What else are you prepared to
15 offer opinions at trial?

16 A I don't know what kind of questions will be
17 asked. I can't answer you at this time.

18 Q Are you prepared to offer any opinions as to
19 the cost of the repair of the slope?

20 A Cost of repair? Not part of my scope, I
21 believe. All my scope is basically those two things you
22 just named.

23 Q Okay. Are you going to be preparing -- strike
24 that.

25 Are you going to be offering opinions to the

1 historical maintenance of the slope at the Las Brisas
2 HOA?

3 A Not my part of the scope. I think that was
4 between the HOA and the plaintiff. Regarding whatever
5 CC&R and other things, that is beyond my scope.

6 Q Are you going to be offering opinions at trial
7 with respect to the repairs of the slope other than the
8 incident that is the subject of this litigation?

9 A You mean the repair was observed and tested by
10 the GeoTek of that surficial slope repair?

11 Q No, no. And thank you for asking for that
12 clarification. Let me rephrase my question.

13 At trial, are you going to be offering any
14 expert opinion with respect to the historical repairs
15 performed on any slopes at Las Brisas Pacificas?

16 A My understanding is there was no slope
17 failure. Historically, there was, you know, a curve
18 before, according to my recollections. But I never been
19 provided any slope repairs that has been done, either
20 surficially or deep seated or slope failure, within the
21 HOA community.

22 Q And I appreciate that, but my question was
23 more specific.

24 Are you going to be providing any opinion with
25 respect to the historical repairs performed on any slope

1 at Las Brisas Pacificas HOA?

2 A No.

3 MR. COMBS: To be clear, other than the repair on
4 Lot 139; correct?

5 MR. ALEXANDER: Correct, yeah, that's a good
6 clarification.

7 BY MR. ALEXANDER:

8 Q Is your answer still no?

9 A Well, that's what Mr. Combs said. It's only
10 limited to the repair behind the Lot 139.

11 Q Are you relying on Mr. Combs' representations
12 as to what your scope is?

13 A Well, he provided me all the background
14 information, all the documentations for me to render my
15 opinion. I trust him. Oh, he did a very good job for
16 this case.

17 Q Okay. Did anyone else other than Mr. Combs
18 provide you with any information or data upon which
19 you're prepared to offer opinions at trial in this case?

20 A Well, his assistant provide me with some
21 record or water usage or record that was in my, you
22 know, in the deposition documentation in my job file,
23 yes.

24 Q Other than Mr. Combs and his assistant, has
25 anyone else provided you with any information or

1 documentation that you intend to rely on in formulating
2 your opinions and presenting them at trial?

3 A If there's any, it would be the emails from
4 the board members, you know, that was between Mr. Combs
5 and the board members and I maybe was CC'd in that email
6 correspondence. That's about it. There's no other
7 persons or entities that I was in contact with regarding
8 this case.

9 Q Has anyone else from American Geotechnical
10 performed work on this case other than yourself?

11 A With my -- for the research that my geologist
12 did the -- site geologist, did provide we with some
13 background information regarding the regional site
14 geology. And that would be in support of my opinion
15 based on my review of variable report by GeoTek and
16 other things which were produced by Mr. Combs.

17 Q And who was that individual from American
18 Geotechnical that provided you with that geology?

19 A His name is Andrew Suarez.

20 Q How do you spell his last name?

21 A Huh?

22 Q How do you spell his last name?

23 A I think it's S-u-a-r-e-z.

24 Q Suarez?

25 A Suarez, yeah. It's just, you know, ask him

1 to, can you provide me with the site geology so I have a
2 better understanding based on my review of the GeoTek
3 report to make sure that whatever it says in the report
4 are in general consistence.

5 Q Well, I'm not asking about the reporting.

6 A Okay.

7 Q What do you mean by "site geology"? What site
8 are --

9 A Well, it's just -- you know, overall, the site
10 geology, typically if there's a job that's related to
11 subsurface information that I will ask my staff to
12 provide me with what we call geology thumbnail that will
13 go through all the public agency's website or in-house
14 research regarding the site geology. Hopefully, we can
15 get a better understanding of the regional site geology
16 of the subsurface conditions.

17 Q Okay. And where would that site geology be?
18 Where would I find that site geology?

19 A That would be under 04 analysis, you see the
20 site geology.

21 Q Why don't we do this? I'm going to put on my
22 screen now what I believe is your job file that was
23 produced. And I --

24 A 04, analysis.

25 Q Okay. I'm not asking you about that right

1 now.

2 I will represent to you that I added the Las
3 Brisas expert designation to this folder, just for my
4 ease of reference when I mark exhibits.

5 Okay?

6 A Well, I believe we included this in my job
7 file.

8 Q Let's go through these folders.

9 What is folder number 1?

10 A It's just job information like project
11 information sheet regarding this project, who is our
12 client, what the -- what are the project location, and
13 so on and so forth.

14 Q Okay. This is a document that was generated
15 by American Geotechnical; correct?

16 A Yes.

17 Q Did you prepare this document?

18 A No, my admin did it, the one -- the NJB, she
19 prepared.

20 Q What's folder 2, contracts, proposals?

21 A Pardon me?

22 Q What is folder 2, contracts, proposals?

23 A It's just the authorization contract that for
24 any projects we work for Mr. Combs or any other of our
25 client. California law requires the authorization

1 contract to be signed and, you know, for our service.

2 Q And how about folder 3?

3 A This is basically my report.

4 Q This is the preliminary report that you
5 referenced earlier; correct?

6 A Yes.

7 Q Is this going to be your final report?

8 A Maybe.

9 Q How about folder 4, analysis?

10 A You can see there are three folders. The
11 first one is perspiration records that was also included
12 in the report. That was provided to by Mr. Combs.
13 Second one, the site geology we just talk about that
14 including the geology thumbnail and other regional
15 geology information, yeah. So this is the -- what we
16 call the geology thumbnail. It shows all the site
17 geology in the area.

18 Q Okay. This is a broader geology than the
19 lot-specific 139; correct?

20 A Yes.

21 Q I'm going to mark this as Exhibit 2.

22 You did not collect this report; correct?

23 (Exhibit 2 marked.)

24 A Pardon me?

25 Q This geology thumb which is Exhibit 2.

1 A It was the -- my geologist that I mentioned,
2 he put together this information for my review.

3 Q We have depth -- well, there's some notes in
4 the red box; correct?

5 A Yes.

6 Q Who inputted those notes?

7 A Andrew.

8 Q They were not input by you; correct?

9 A No.

10 Q What does "a depth to bedrock likely surficial
11 to 1.5 feet BGS" mean?

12 A Below ground surface. It is in the area. It
13 is not specifically for the site. It's based on history
14 research of the available geological maps and the
15 information that he believes that the depth to bedrock
16 is very shallow, likely surficial to maybe 1.5 below
17 ground surface.

18 Q But he writes "likely surficial"; correct?

19 A Well, yeah, that's why he said it. It's
20 surficial --

21 Q He doesn't know what it actually is; correct?

22 A No, like I said, this is general site geology.
23 It's nothing specific to Lot 139. The 139 site geology
24 has been detailed, discussed in the GeoTek's report.

25 Q Okay.

1 to interrupt me suggests to me you do not know how to
2 perform a deposition. So let me give you a couple of
3 admonitions that I typically would not give to a
4 retained expert because my assumption is you would know
5 how to properly conduct themselves in a deposition.

6 And the first is going to be the following:
7 You're going to wait for me to ask my question and
8 complete my question before you provide me with your
9 response. It's basic courtesy. But also, it allows our
10 court reporter to take a proper deposition transcript
11 and write down everything without us interrupting each
12 other. Likewise, I'm going to provide you with the
13 courtesy of letting you finish your response before I
14 ask you a follow-up question.

15 MR. COMBS: Thank you, Counsel. You've interrupted
16 him as much as he's interrupted you.

17 MR. ALEXANDER: Well, the record speaks for itself.

18 BY MR. ALEXANDER:

19 Q But further -- and you've done this already
20 and I would encourage you to keep doing it -- if you do
21 not understand one of my questions, ask me for
22 clarification. I'm happy to rephrase it or ask it
23 again.

24 Okay?

25 A Okay.

1 Q Does that sound fair to you?

2 A Yes.

3 Q And continue please providing verbal
4 responses. Nonverbal responses are not going to be on
5 the record. So only verbal responses.

6 Okay?

7 A Okay.

8 Q Are you under the influence of any substances
9 that would not provide you with the opportunity or the
10 ability to give us your best testimony today?

11 A No.

12 Q Do you understand the difference between a
13 guess and an estimate?

14 A Yes.

15 Q And further, I will advise you or admonish
16 you, I guess, that if you need a break, just let me
17 know. But I will request that you finish answering my
18 pending question, and then we're going to take a brief
19 break. I will try to pace this at about one hour of
20 deposition between breaks.

21 Okay?

22 A Okay.

23 Q All right. So going back to Exhibit 2, your
24 assistant writes "soil likely gravelly silt"; is that
25 correct?

1 A Yes.

2 Q He uses the word "likely"; correct?

3 A Yes.

4 Q What does the word "likely" mean to you?

5 A It just tells me that in the subsurface soil,
6 it likely consist of the gravelly silt material based on
7 his research of the site regional geology information.

8 Q But he's not concluding exactly that this soil
9 is gravelly silt; correct?

10 A That's correct.

11 Q He's speculating that it is gravelly silt
12 because he's using the word "likely"; correct?

13 A I do not agree.

14 Q Why?

15 A Because like I said, this is overall site
16 geology. We call it the geology thumbnail to give me
17 the information regarding the subsurface possible soil
18 site geology or the type of soil that can maybe exist at
19 the site. So he didn't go to the site. He doesn't know
20 what the site soil condition would be. Based on his
21 desktop research in our office, he provided based on the
22 available information that tells me that the soil under
23 the site is likely consist of gravelly silt.

24 It is not -- what do you call the -- I mean
25 the -- it needs to be verified, but it was verified by

1 the GeoTek, the subsurface investigation, whatever soil
2 type has been described clearly in the report, okay? So
3 this is just the general information.

4 Q Mr. Huang -- Mr. Huang --

5 MR. COMBS: See, you're interrupting him, Counsel.

6 MR. ALEXANDER: He's going beyond the scope of my
7 question.

8 MR. COMBS: Lodge your objection and ask him a
9 question. You're interrupting him.

10 BY MR. ALEXANDER:

11 Q Mr. Huang, I appreciate that narrative. But
12 Mr. Suarez is not designated as an expert for the HOA;
13 correct?

14 A That's correct.

15 Q Did you independently verify the conclusion to
16 Mr. Suarez' drawing here, that soil is likely gravelly
17 silt based off of Exhibit 2?

18 A Well, based on the information he provided,
19 yes, I agree with him.

20 Q Where is the information that he provided in
21 your job file?

22 A In the same folder.

23 Q Which one of these folders?

24 A Well, you open it up. You need to open it up.
25 You need to --

1 Q Well, you tell me which one I should open.
2 This is information that you verified; correct?

3 A Yeah -- well, not verify. I reviewed his data
4 and I confirmed with the -- with the GeoTek's report.

5 Q Well, looking at Exhibit 2, you just testified
6 that you verified Mr. Suarez' conclusions that this was
7 likely gravelly silt; correct?

8 A Yes, yes.

9 Q Okay. What did you review to verify
10 Mr. Suarez' conclusions?

11 A He provide me with the supplemental report or
12 open files, which was deposited in this folder. And
13 that is -- you know, I don't need to review every
14 details in those supplemental documentation provided to
15 me. I was -- whatever the geologist's thumbnail, he
16 provided to me regarding the possible or the, quote,
17 unlikely, unquote, that, you know, gravelly silt.

18 That's all I can tell you. That information
19 in truth -- is true based on the background supplemental
20 report open file that he reviewed and the geological map
21 that he came up to the conclusion.

22 Q Where are those reports that he reviewed?

23 A As I told you, in this supplemental -- in this
24 folder. Everything was deposited, open file.

25 Q Okay. Point to me which one of these

1 documents --

2 A Oh, if --

3 Q -- contains the information -- I'm not
4 finished.

5 Point to me which one of these documents in
6 subfolder 4 analysis, site geology, contains the
7 information that you reviewed to confirm the conclusions
8 drawn by Mr. Suarez in Exhibit 2.

9 A Those information are contained in those three
10 documents provided by Mr. Suarez, my geologist, and to
11 come up with his brief geologist thumbnail.

12 Q Okay. Which one should I open and we can
13 mark --

14 A You should open every single one.

15 Q Okay. Let's start with geology references.
16 What in this document informs you -- and this is going
17 to be next Exhibit 3.

18 What in this document informs you that
19 Mr. Suarez' conclusions are accurate?

20 (Exhibit 3 marked.)

21 A Explaining from the -- related to geology,
22 California Geological Survey, the CGS Information
23 Warehouse that he clicked to review. And secondary, it
24 would be the Kennedy, M.P., those two reports, the
25 geological maps that contains all the subsurface soil

1 conditions, that it was showing in the geology
2 thumbnail. And let me see, there are other supplemental
3 by the State Water Resource Control Board GeoTracker,
4 that was also mentioned in his geology thumbnail.

5 Q And Mr. Suarez provided you this information;
6 correct?

7 A Yes.

8 Q And what is this document called, Geologic Map
9 of the Oceanside? What is this?

10 A This is a geological map, as it says, of the
11 area including Lot 139 inside somewhere in this map.
12 And --

13 Q Where is Lot 139 in this map?

14 A I don't know where, but he didn't mark where
15 is the site but he review it. That's why in the
16 information, he zoom in and coming up with the geology
17 thumbnail. That come up with the -- his brief
18 description.

19 Q Okay. So you do not know where Lot 139 is on
20 this geological map, which I'm going to mark as
21 Exhibit 3; is that correct?

22 A Well, I know this is the --

23 Q Exhibit 4, I'm sorry, Exhibit 4.

24 (Exhibit 4 marked.)

25 A This geology map includes the site of Lot 139.

1 Q Let's go back to Exhibit 4. Where is Lot 139
2 on Exhibit 4? Do you know?

3 A Well, I have to look at the street, look at
4 the area, and zoom in and to locate. I could locate,
5 but I didn't locate because I rely on Mr. Suarez'
6 research result.

7 Q Okay. We're going to mark next in order,
8 Exhibit 5, RGM to Oceanside 2007 Pamphlet.

9 What is this document?

10 (Exhibit 5 marked.)

11 A It's a geological map of the ocean side 30 by
12 60 quadrangle that has a lot of information that
13 describes the regional geology. If you scroll down --

14 Q What part -- what part of Exhibit 4 did you
15 rely on -- strike that.

16 What part of Exhibit 4 did Mr. Suarez rely on
17 in formulating his opinions?

18 A He reviewed this document, provided his
19 opinion in the geology thumbnail. And I trust his
20 result findings from the review of this documentation.

21 (Crosstalk.)

22 A I don't need to -- pardon me. You interrupt
23 me. I haven't finished my answer.

24 Q I was just going to say I that misspoke. This
25 is Exhibit 5 that we're referring to, but go ahead and

1 finish.

2 A Yeah. I'm just trying to tell you that all
3 the information provided in this folder was researched,
4 reviewed, evaluated by my geologist, Mr. Suarez, based
5 on the available information. And he put it all
6 together -- we call it the geology thumbnail -- that
7 provided whatever information he gathered from his
8 research. That's about it. Whatever he says in that
9 geology thumbnail, I will trust him that that would be
10 the best information that he can provide for the site.

11 Q Okay. And we're looking next at folder 4,
12 analysis, subfolder water records; correct?

13 A Yes.

14 Q What are these document?

15 A These are the water usage accounts or records
16 from the street sources for the 2022 and 2023, including
17 the chart that were provided by Mr. Combs' office.

18 Q We're looking at a document titled 2022/2023
19 Water Usage. This is going to be Exhibit 6.

20 Who prepared this document?

21 (Exhibit 6 marked.)

22 A Mr. Combs' assistant.

23 Q Who is Mr. Combs' assistant?

24 A What?

25 Q Who is Mr. Combs' assistant who prepared this

1 document?

2 A I forgot his name.

3 Q Is Mr. Combs' assistant identified as an
4 expert in the expert designation for the HOA?

5 A I don't think so.

6 Q You relied on Exhibit 6 in formulating your
7 opinions that you're prepared to provide at trial;
8 correct?

9 A Yes. I have data, supporting data in addition
10 to this chart.

11 Q But you did not prepare this chart, as you
12 just testified; correct?

13 A Well, it's already prepared. I don't need to
14 repeat the same thing.

15 Q Did you verify that the data that is contained
16 on this chart is actually correct?

17 A Well, it's from the information provided, I
18 did review it and it appears to be consistent.

19 Q But you do not know today if it's consistent
20 because you're saying that it appears to be consistent;
21 correct?

22 A It is based on the information that
23 Mr. Combs' assistant, the data from those records and
24 put together in the spreadsheet in the chart, provided
25 me with the chart.

1 Q What is the water usage referenced here? What
2 is the water usage for?

3 A I believe I say in my report in more detail.
4 But as you can see, there are three water agency or
5 water district. One is San Marcos, RES, I believe is
6 the resident, and San Marcos irrigation, IRR, and is
7 Palomar irrigation. That was the information regarding
8 the water usage that may run through the irrigation pipe
9 or through the residents regarding the water usage over
10 this time period.

11 Q Whose water usage over this time period?

12 A The water usage, it says different colors. It
13 is residents, RES, and irrigation.

14 Q And where on this chart do we see Ms. Mann's
15 water usage at this slope?

16 MR. COMBS: Objection. Vague and ambiguous.

17 THE WITNESS: Can you repeat your question?

18 MR. ALEXANDER: I'll rephrase it.

19 BY MR. ALEXANDER:

20 Q You referenced RES for residents; correct?

21 A Yes.

22 Q What residents are you referring to?

23 A I believe it's just residents. It does not
24 just include the plaintiffs, the residents. It is the
25 information provided by Mr. Combs, tells me that there

1 are three different water usage record that he obtained.
2 And that is what his best description of the water
3 usage.

4 Q How many residents are you referring to in
5 this water usage record?

6 A I don't know.

7 Q You do not know?

8 A Well, I --

9 Q How many residents are at the Las Brisas HOA?

10 A I think it's more than one -- something like
11 159 or more, the individual units. I described in my
12 report, a little bit detail in the site description,
13 that Las Brisas Pacificas actually has two parts; one is
14 the west and one of the east part. And Ms. Mann, the
15 Lot 139, is located on the west part of that Las Brisas
16 Pacificas community.

17 Q Where on Exhibit 6 do we make a determination
18 of how much water was introduced by the HOA on
19 Ms. Mann's specific Lot 139 between May of 2022 and
20 November of 2023?

21 A There's no way to just isolate. Water goes
22 into Lot 139 or, you know, on the slope to tell you
23 exactly how much water go through that part. We
24 don't -- I don't know. Nobody knows. That's in their
25 information. The only thing the water agency provided

1 the water usage record is based on whatever the periods
2 that likely, you know, is for the purpose of residents'
3 usage or the irrigation water usage. That's how to
4 differentiate based on the three water usage records.

5 Q Who made the -- strike that.

6 Who made the decision to focus on the date
7 range of May 2022 through November 2023?

8 A Because that was the occurrence of the
9 surficial slope failure that occurred on before
10 April 27, 2023.

11 Q My question, however, was: Who made the
12 decision to limit the data usage analysis to those two
13 dates?

14 A I don't know who made that decision. But my
15 understanding --

16 Q You didn't authorize that decision, did you?

17 A I did not make that decision. But my
18 understanding is that because of the occurrence of the
19 surficial landslide was -- occurred on or before
20 April 27, 2023. So if we can get a water usage record
21 before and after that, we would be able to know the
22 difference of whether that water usage could be a
23 contributing factor to the surficial slope failure
24 occurred on or before April 27, 2023.

25 And that is about the logical thinking that I

1 can imagine. But I didn't make that decision to just
2 put it off those two -- this period.

3 Q Thank you.

4 Do you know what the water usage of the
5 residents has been since November of 2023?

6 A What do you mean?

7 Q How much water usage has been used -- how much
8 water has been used by the residents in San Marcos since
9 November of 2023? Do you know?

10 A No.

11 Q Do you have those records?

12 A I don't have the records, no. But based on
13 this chart after November '23, the water usage, it looks
14 like, reduced.

15 Q As you sit here today, though, you don't have
16 a clue how much water is being introduced into Lot 139
17 currently; correct?

18 A No.

19 Q What is subfolder 5 in your job file?

20 A Just email correspondence.

21 Q Is this a totality of your email
22 correspondence on this file?

23 A I'm not sure.

24 Q Did you verify whether these are all your
25 emails?

1 A I don't verify those emails. But I asked my
2 admin to pull all the file, which are -- for this
3 project to be included in my job file.

4 Q Have you ever texted Mr. Combs via his cell
5 phone?

6 A No, I never text him.

7 Q And what is subfolder 6?

8 A That's my site visit with my site photos and a
9 description of each photo.

10 Q And when was your site visit?

11 A I forgot. I think -- let me see, it should be
12 in my report. If you click the DCIM, that folder, you
13 open that folder, it will have a date stamp. If you
14 click any one of them, just click on the lower right
15 portion, it should have the date printed.

16 Q And we're looking at 42 photographs; correct?

17 A Yes.

18 Q Without looking at these or at the metadata
19 that you're asking me to do, you don't recall when you
20 visited the site, do you?

21 A It was last year.

22 Q What did you do to prepare for your deposition
23 today?

24 A Review my job file; review all the information
25 provided in the production, job file production; and all

1 the background information, my photos and reports.

2 Q On how many occasions did you visit the job?

3 A Just once.

4 Q But you don't know when?

5 A My recollection is -- I have to look at my
6 report to see when I did. But if you open this one, it
7 should say which date.

8 Q Well, you have these in front of you; correct?

9 A Pardon me?

10 Q We established that you have your job file in
11 front of you on your computer; correct?

12 A Yeah, I have the job file, yes.

13 Q So tell me when you visited --

14 A May 21, 2025. May 21, 2025, is my site visit.
15 You just did not want to click the photo to verify that
16 is the correct date.

17 Q What did you do on May 21, 2025, during your
18 site inspection?

19 A Do my site inspection.

20 Q Was your site inspection limited to a visual
21 site inspection?

22 A Yes.

23 Q Had you performed any destructive testing on
24 this site?

25 A No.

1 Q Who took these photographs?

2 A Pardon me?

3 Q Who took the photographs that are found in
4 subfolder 6, site inspection?

5 A Me. I took it.

6 Q Thank you. I appreciate that.

7 We have subfolder 7, reports, plans by others.

8 Do you see that?

9 A Yeah.

10 Q What is subfolder 7? What does this consist
11 of?

12 A Well, the first subfolder is experts, the
13 background documentation provided by Mr. Combs. He's
14 sending me the link in the email that I downloaded and I
15 put into my job file. Everything is all the background
16 information that he did a very well organization of the
17 background documentation for my review.

18 Q Okay. Did you review every single one of
19 these files?

20 A Yes.

21 Q Are you critical with the findings in any of
22 these files?

23 A Can you say that again?

24 Q Are you critical with the findings in any of
25 these files?

1 A Well, I reviewed all these files and
2 formulated my opinion based on my review of the
3 background information provided in this production. I
4 don't know what you mean if I have critical or anything.
5 So basically, I did formulate my opinion based on the
6 review of this available background information,
7 documentation, site photos, emails, and the like, to
8 provide my opinion.

9 Q When you review these files in preparation for
10 your opinion, did you disagree with any statements in
11 any of these files when you formulated your opinions?

12 A Yes and no. You know, there are several,
13 like, plaintiff complaints. It's just, some of them
14 just, it doesn't make sense to me.

15 Q Okay. Other than the plaintiff's complaint,
16 what other files in the subfolder did you review that
17 you disagreed with, the conclusions drawn in those
18 files?

19 A Not technically. But there's something, for
20 example, like, the GeoTek's report, you know, they did a
21 good job in the investigation. But for the presentation
22 of the report, that if they can provide, for example,
23 cross-section to illustrate, you know, the subsurface
24 conditions, which are the test pit, in addition to the
25 geological map he provided, it will be much better for

1 understanding, instead of --

2 Q Your criticism with the GeoTek report is that
3 there was no cross-section provided; correct?

4 A No, like I said, it's not technically -- but
5 it's just, you know, when they prepare the report, they
6 could, you know, think about that maybe a cross-section
7 may be very helpful for the readers to better understand
8 what they are talking about in the text, in the report.
9 But they did provide sufficient information in the text
10 report that these need to formulate my opinions.

11 Q Other than the fact that GeoTek did not report
12 a cross-section, is there anything else in your report
13 that you are critical of?

14 A Not technically speaking. Like I say, it's
15 just -- for example, like in the earlier report, they
16 put in the one figure, I believe, that did not belong to
17 the project. So it looks like there was -- when they
18 prepare the report, they should do the, you know, QA/QC
19 to make sure that everything is consistent. That's
20 about it.

21 It's nothing to do with the -- technically
22 regarding the site, subsurface investigation or their
23 recommendation, per se.

24 Q But agree with their investigation and
25 recommendations; correct?

1 A Yes.

2 Q Did you ask Mr. Combs or the HOA to set up
3 destructive testing for your benefit?

4 A No.

5 Q Why not?

6 A Because I don't think that is necessary for
7 this case.

8 Q Why is it not necessary?

9 A Because the information, subsurface
10 investigation, has been provided, conducted by GeoTek.
11 And all the information are very well understand and is
12 consistent with my evaluation of the site geology. And
13 also, the repair of the slope has been performed very
14 well. Particularly at the time of construction, the
15 contractor, Mountain Mover, even recommends to put the
16 geogrid and with the back drain and the heel drain, and
17 all agreed by GeoTek.

18 As a matter of fact, this slope repair is more
19 than needed to be repaired by installing the geogrid on
20 every bench, which is only a couple of feet, 2 or
21 3 feet, bench on every layer with the back drain on
22 every layer -- in the back drain of the bench. That is
23 more than enough.

24 THE COURT REPORTER: I'm sorry, are you saying --
25 okay. I'm having a hard time understanding you.

1 is the chart. It's showing the rainfall was recorded in
2 this -- at this station. And that is starting from
3 January 1, 2022, to December 1, 2024.

4 Q And who prepared this chart?

5 A I told you, Mr. Combs' office.

6 Q Who selected the date range for this chart?

7 A I don't know.

8 Q Have you checked the validity of this chart?

9 A Pardon me?

10 Q Have you independently verified the rain
11 records?

12 A That was in the NOCC website. I don't need to
13 verify. It is whatever is produced that plot it.

14 Q And let's take a look at the second page of
15 Exhibit 7. What is the second page of Exhibit 7?

16 A This is another rainfall station located at La
17 Costa Heights Elementary Station. And the same thing,
18 the same range. The same plot of the rainfall
19 precipitation recorded in that station.

20 Q And who prepared this document?

21 A Mr. Combs' office.

22 Q Are you relying on Exhibit 7 in formulating
23 your opinions at trial?

24 A Yes.

25 Q And we have under subfolder 7, water records,

1 another subfolder. Are these the same water records we
2 discussed before?

3 A Yes.

4 Q This is a duplicate subfolder; correct?

5 A Well, I put it in -- it will be better when I
6 review the job file, it would be easier to go through
7 instead of going to pull the other folder looking for
8 these records.

9 Q But the documents are duplicates of what we
10 discussed earlier; correct?

11 A Yeah, it is duplicate. That it is for the
12 purpose for easy to locating the documents for review.

13 Q Okay. So this is for convenience. It does
14 not introduce any new information; correct?

15 A No.

16 Q That's a double negative.

17 A The same records. That's your answer.

18 Q Okay. Thank you.

19 And we have a document titled 20230706 SD
20 Geotechnical Investigation, Las Brisas Pacificas.

21 A Yeah.

22 Q What is this document?

23 A I believe that is the GeoTek's report.

24 Q And you relied on this document in formulating
25 your expert opinions for trial; correct?

1 A Yes.

2 Q And what is the next document, 20240523,
3 as-graded report?

4 A That is another GeoTek's report, whatever is
5 graded after slope repair. During the slope repair
6 operations, they produced us this graded report after
7 the slope was repaired.

8 Q And you relied, again, on this report to
9 formulate your opinions for trial; correct?

10 A Yes.

11 Q Are these the two reports that we discussed
12 earlier that were generated by GeoTek where your
13 criticism was there was there's no cross-section, but no
14 other criticism.

15 Is that an accurate summary?

16 A Again, I need to reiterate that GeoTek's
17 report, my criticisms, are for not providing
18 cross-sections. It's just a convenience of the
19 preparation of the report. Nothing to do with the
20 technical things, which is lead me to criticize their
21 report.

22 Q Okay. But just for sake of clarity for the
23 record, these are the two GeoTek reports that we were
24 discussing earlier; correct?

25 A Well, I believe they have another report,

1 initial report dated -- I would say June 22, 2024, and
2 later they provide the July 7, 2024, report, which is
3 supplement of more information and maybe make some
4 corrections of that, you know, misrepresentation in
5 their previous report. And so everything come up with
6 the information provided in their report, which is
7 basically one of the sources of the information that
8 they need to formulate my opinions regarding the
9 concession and of the surficial slope failure and the
10 repair methodology and the repair recommendations
11 provided by GeoTek.

12 Q Okay. And we have subfolder 8, CV, rate
13 sheet, testimony, W-9, and invoices.

14 Do you see that?

15 A Yes.

16 Q What does subfolder 8 consist of?

17 A Well, this is per your documentation for
18 production request. You wanted us to provide, you know,
19 fee schedule, my CV, W-9, anything related -- invoice,
20 everything would be put in this folder.

21 MR. ALEXANDER: Okay. Let's take a five-minute
22 break and we'll come back to this folder.

23 Okay?

24 THE WITNESS: Okay.

25 (Brief recess.)

1 MR. ALEXANDER: Back on the record.

2 BY MR. ALEXANDER:

3 Q Mr. Huang, you understand you're still under
4 oath; correct?

5 A Yes.

6 Q Let's look at subfolder 8 and discuss your
7 qualifications and background.

8 What is your highest level of formal
9 education?

10 A Ph.D.

11 Q In what?

12 A Geotechnical.

13 Q Does that make you a civil engineer also?

14 A Yeah.

15 Q When did you obtain your Ph.D.?

16 A I got my Ph.D. degree in 1993.

17 Q Have you practiced as a civil engineer since
18 1993?

19 A Yes.

20 Q When was the first time that you were retained
21 to offer expert opinion on a case?

22 A In this case?

23 Q On any case. We're talking about your
24 background.

25 A Well, I have a list of the expert designations

1 I was retained. You can pull it up if you want to.

2 Q And I'm looking at a list depo trial
3 testimony.

4 A There's another one called List of Lawsuit,
5 Retained as an Expert.

6 Q Okay. Let's take a look at the first one
7 first. We have a summary table; correct?

8 A Yeah.

9 Q It's a one-page document; correct?

10 A Correct.

11 Q Does this encompass the list of deposition and
12 trial testimony that you have offered to date?

13 A That's the best -- best of my recollection.
14 It may be more, but I can't recall it. That's why I put
15 all these together as much as I can.

16 Q Okay. And the first time we see here that you
17 were retained for deposition was June 1, 2018; correct?

18 A Yes.

19 Q And the most recent is today?

20 A Yes.

21 Q February 4, 2026; correct?

22 A Yes.

23 Q But if this deposition had not gone forward
24 because of the insistence of you being prepaid your
25 fees, today's deposition would have not -- this list

1 would be inaccurate; correct?

2 A Well, I would anticipate it's going to move
3 forward, that's why I put it right there. And that
4 would be the part of the -- after this deposition, this
5 case would be -- remain in this list for my future
6 deposition or for other cases.

7 Q And good thing that Ms. Mann had to Venmo your
8 counsel; correct? You don't need to answer that.

9 Other than today's deposition, I'm counting
10 one, two, three, four, five instances where you were
11 deposed. Is that an accurate summary of this list of
12 depo and trial testimony?

13 A Yeah. One of them is a trial.

14 Q Okay. And you're referring to Goedhart versus
15 Hobbs; correct?

16 A Yes.

17 Q So you offered deposition testimony and trial
18 deposition in this matter; correct?

19 A Yes.

20 Q So other than today's deposition, you have
21 been in one, two, three, four, five other cases;
22 correct?

23 A For deposition.

24 Q Okay. And you have for project name on the
25 first name, "no residence." What does that mean?

1 A That is the homeowner's name, N-o. As you can
2 see, the legal case Cindy and Devin No.

3 Q Okay. That is the last name, N-o, got it. I
4 understand.

5 And on the second matter, Young v Taylor
6 Morrison, you were deposed as a percipient witness;
7 correct?

8 A Yeah. It is the construction defect case, but
9 I was not retained as an expert. But I was deposed as a
10 percipient witness deposition for that case.

11 Q Were you involved in the construction of the
12 property involved in that matter?

13 A Not -- we are helping our client.

14 Q How were you a percipient witness on this
15 matter? What was the scope of your testimony?

16 A The scope of testimony, just what we provided
17 for this case, how we did investigation, and so on and
18 so forth. That's it.

19 Q You did not offer an expert opinion; correct?

20 A No. It says clearly, "percipient witness."

21 Q Okay. And how about the second to last, which
22 happened around this time last year, February 6, 2025,
23 Ventura Trust Land versus Nebroski matter? You're also
24 identified as a percipient witness; correct?

25 A Yes.

1 Q What was the scope of your testimony as a
2 percipient witness there?

3 A Is that we were involved in the fire damage
4 case that my client homeowner, Nebroski, was the --
5 against his insurance carrier. So their loan, that
6 Ventura Trust Land purchased properties and then sued my
7 client Nebroski. And I was deposed for a previous case
8 against the insurance carrier as a percipient witness
9 regarding our evaluation of the site.

10 Q How about the Goedhart v Hobbs matter? What
11 was that case about?

12 A That was regarding the flooding in Jurupa
13 Valley that Vicky Goedhart purchased properties. And
14 during the Christmas Eve -- I forgot which years -- that
15 flooding occurred. That damage to her properties due to
16 the R.C. Hobbs Company's construction site nearby that
17 built a 4-foot height fill with a 12-foot high retaining
18 wall that brought drainage to go through the site and
19 causing the flooding to backflow. Even though they
20 provided their drainage devices or the retention bases,
21 still flooding occurred on the Eve of Christmas.

22 Q Thank you.

23 I'm having a difficult time understanding the
24 word. Is it frauding? What word are --

25 A Pardon me?

1 Q What caused the damage there?

2 A Well, it's the drainage pipe was backflow into
3 the Goedhart's property causing the flooding.

4 Q Flooding, are you saying flooding?

5 A Flooding, f-l-o-o-d, flooding.

6 Q Okay. Thank you.

7 A Like, drainage issues.

8 Q Do any of these cases, other than, of course,
9 the Mann versus Las Brisas Pacificas matter, do any of
10 them -- strike that.

11 Did any of those cases deal with slope
12 stability?

13 A No.

14 Q And we're going to go to the next file which
15 is titled List of Lawsuits Retained as Expert. Do you
16 see that?

17 A Yeah.

18 Q This is a slightly different list; correct?

19 A Yeah, it's basically about the same except
20 there are several cases that I was not deposed. It was
21 settled prior to the -- to my deposition or anything
22 move forward.

23 Q Okay. And which one of these cases you were
24 not deposed?

25 A Second one, Villa Del Mar HOA.

1 Q Okay.

2 A Let me see, Kraber, this is regarding the
3 landslide case in Northern California. And I was
4 prepared for my deposition, but it was settled before my
5 deposition. And same thing for Lee versus Xi and Huang.
6 We are the defendant expert, but was -- I was never
7 taken my deposition because the case was settled prior
8 to my deposition.

9 Q And is it the same with Mortenson because that
10 indication did not appear on your deposition list? Were
11 there --

12 A There was no deposition. There was no
13 deposition.

14 Q And what was that case about?

15 A This one is regarding the -- this is
16 Mr. Combs' project that involves with Mortenson Industry
17 Park Association against the State of California,
18 Caltrans, regarding the drainage on the slope from the
19 I-8, that caused flooding of the street on that
20 Mortenson's property. So the same drainage issues, the
21 slope issues that caused the drainage and the flooding
22 damage to the improvement within the association
23 property.

24 Q And you said this is Mr. Combs' project;
25 correct?

1 A Yes.

2 Q What do you mean by that?

3 A Well, he retained me as an expert for this
4 case.

5 Q Okay. Mr. Combs is not a party to that
6 action; correct?

7 A Pardon me?

8 Q Is Mr. Combs a party to that action?

9 A No. He's the attorney on behalf of the
10 association.

11 Q Okay. On how many other occasions has
12 Mr. Combs or, generally, his office, retained you to be
13 an expert on one of their cases other than Mortenson and
14 Mann?

15 A There's an -- no, there's only two that
16 retained me as an expert so far.

17 Q And, of course, we have your CV; correct?

18 A Yes.

19 Q Is there anything in this CV that you believe
20 needs to be updated or is this your most current?

21 A It's my most current about two years ago, but
22 I don't have anything that I need to update, per se.

23 Q Okay. Now, you've been discussing that you
24 have -- you prepared the preliminary report in this
25 matter that is going to become your final report and

1 your opinions; correct?

2 A Yes.

3 Q Where is that found?

4 A In the 0-3, reports, letters.

5 Q Okay.

6 A Yeah.

7 Q Let's go over this document. What -- did you
8 rely on -- strike that.

9 Where would we identify what you relied on --
10 what documents you relied on in preparing this report?

11 A I believe I have a reference list that I
12 included in my report at the end of the report. If you
13 can scroll down, you will see a list of reference -- oh,
14 before that, before that -- yeah, yeah, yeah, right
15 here. So it's basically most of the background
16 information documentation that -- from my geologist for
17 the site geology and all the production provided by
18 Mr. Combs in the report by other folders, basically.

19 Q And we have Appendix A which is the site
20 photographs taken on May 21, 2025; correct?

21 A Yes.

22 Q Are these all the photographs that we saw
23 earlier that you took on the date of your site
24 inspection?

25 A Yes.

1 Q Then we have Appendix B, water usage records
2 analysis; correct?

3 A Yes.

4 Q Is Appendix B the same water usage summary
5 that was provided to you by Mr. Combs' office?

6 A Yes.

7 Q And you relied, again, on this to formulate
8 your opinions in your report; correct?

9 A Yes.

10 Q And we have Appendix C, which is the
11 precipitation records analysis; correct?

12 A Yes.

13 Q And those are two graphs that were provided to
14 you by Mr. Combs' office; correct?

15 A Yes.

16 Q You did not attach any other documents that
17 you relied on in this report; correct?

18 A No.

19 Q I would invite you to open this report on your
20 own screen, as well, so we could move through it a
21 little bit quicker. But I would turn your attention
22 specifically to section 1.2.

23 Well, before we get there, did you personally
24 prepare this report?

25 A Yes.

1 Q You typed it out?

2 A Well, yeah.

3 Q You write at section 1.2, background
4 information, The owner of the Unit 139, Ms. Mann,
5 alleged that the surficial slope failure was the result
6 of the broken irrigation line on the slope maintained by
7 the association.

8 Do you see that language?

9 A Yes.

10 Q Where did you -- strike that.

11 How did you come to the understanding that's
12 what Ms. Mann is alleging?

13 A I believe that is from my review of the
14 plaintiff's complaint.

15 Q Do you have an understanding that Ms. Mann is
16 also alleging that other factors contributed and caused
17 the slope failure, such as the installation of the
18 French drain, gopher activity at the slope, as well as
19 irrigation and maintenance of the slope?

20 A Yes.

21 Q Why did you not reflect those allegations in
22 your report?

23 A Because this is the main reason that she
24 emphasized several times in the complaint that twice of
25 the broken irrigation line that occurred that she

1 believes that would be the major contributions to the
2 surficial landslide.

3 Q Did you review any of the depositions taken to
4 date in this case in preparation for your deposition?

5 A No.

6 Q Are you aware that the HOA installed a French
7 drain on the slope in 2019?

8 A Yes.

9 Q Are you aware that the HOA refers historically
10 to the west side of the Las Brisas community as the,
11 quote/unquote, gopher habitat?

12 A It was mentioned in the complaint.

13 Q So you're aware of that?

14 A Yeah.

15 Q Are you critical of how the HOA maintained its
16 gopher infestation at the Las Brisas community?

17 A I do not know the records maintenance of the
18 gopher abatement by the HOA.

19 Q What is your conclusions to why the slope
20 failed at 139?

21 A The slope failure is a result of the prolonged
22 heavy rains that was occurred between 2022 to 2023 that
23 caused -- due to the site soil conditions, that caused
24 saturation and reduced the strength -- shear strength of
25 the surface soil to cause the slope failure. And that

1 was the verified by the GeoTek's, their subsurface
2 investigation where the contact shows the perched
3 groundwater conditions.

4 And that was compared to the other area beyond
5 the scope -- I mean, the limit of the slope failure. So
6 that is consistent with my evaluation of the site
7 subsurface soil conditions and geological information
8 provided by my geologist.

9 Q What standards did you rely on in formulating
10 your opinion that it was -- that rain record of 2022 to
11 2023 that caused the slope failure?

12 A The rainfall records, the data which is -- I
13 don't know how many times -- twice, more than the normal
14 range, and there was prolonged heavy rain. And my
15 understanding is that this period of rain, based on the
16 site subsurface conditions, due to the water migrate
17 from the other slope along with the barrel contact down
18 to the slope and the weakened soil strength; therefore,
19 to occur to the surficial slope failure.

20 Q How much rain was introduced on the slope that
21 caused this slope failure, according to your opinion?

22 A GeoTek says it's almost, you know, 170,
23 180 percent normal -- higher than above the normal.

24 Q I'm not asking what GeoTek is concluding. I'm
25 asking what your conclusion is with the amount of rain

1 that was introduced on the slope that, in your opinion,
2 caused the slope failure?

3 A The amount of rain has been shown in the chart
4 that shows the period. That is the amount of rain
5 contributed to the slope failure.

6 Q What chart are you referring to?

7 A Precipitation data.

8 MR. ALEXANDER: And we have somebody who just
9 joined us.

10 MR. CASSIDY: Scott Cassidy.

11 MR. COMBS: Scott Cassidy is the board president
12 for Las Brisas.

13 MR. ALEXANDER: Thank you.

14 MR. CASSIDY: Thank you.

15 BY MR. ALEXANDER:

16 Q And, Mr. Huang --

17 MR. COMBS: Please mute yourself, Mr. Cassidy.
18 Sorry, Vasko.

19 BY MR. ALEXANDER:

20 Q Mr. Huang, when you say the precipitation
21 data, are you referring to Appendix C to your report?

22 A Yes.

23 Q But, again, this was prepared by Mr. Combs'
24 office; correct?

25 A Yes.

1 Q So you have not independently verified whether
2 this data is correct, isn't that true?

3 A I did. I actually go to the NOCC website and
4 trying to locate the nearest stations. I believe in my
5 job file, there's a spreadsheet that shows very similar
6 rainfall records that was occurred between 2022 and
7 2023.

8 Q Where in your job file is that located?

9 A It should be in the analysis folder.

10 Q I'm sorry, what did you say?

11 A Yeah, this one -- that folder, 04.

12 Q Okay. And where in here do I --

13 A Precipitation records.

14 Q Okay. Now what?

15 A You can see the first one. Yeah, you can see
16 this one, I pulled it out, and that is in San Marcos.
17 There is the location of the data starting from 2022 to
18 2023. That shows -- pretty much, you can see the
19 rainfall that's colored showing how much record was
20 recorded on this station.

21 Q But you testified earlier that you did not
22 select the date range for this?

23 A Well, this one, I select, but not for those
24 two charts. As I told you, those were provided by
25 Mr. Combs' office. And I have no control as to what is

1 the range that they are going to provide to me.

2 Q So Mr. Combs' office provided the date range
3 for the precipitation records for you to review;
4 correct?

5 A Yes.

6 Q So when you pulled this report, the date range
7 you relied on is the one that Mr. Combs provided you;
8 correct?

9 A No, this is -- I select. When I do my own
10 evaluation of the records, I say, let's try to use the
11 same period to see how much difference that we can see.
12 And that data, pretty much consistent.

13 Q Are there any data points in Mr. Combs'
14 summary that are inconsistent with that record?

15 A No.

16 Q Are there any other records that you relied
17 on -- any other rain records that you relied on in
18 preparing your preliminary report, which is going to be
19 your final report?

20 A No.

21 Q Why did you not include the rain records in
22 your report that we just looked at?

23 A This is are the one they provided to me and I
24 included it.

25 Q So the only rain record that you are relying

1 on your report is actually the summary of the rain
2 records that Mr. Combs provided you; correct?

3 A It's not a summary, per se. It's the actual
4 data plotted in the graphical form to present actual
5 data recorded by these two stations. It's not a
6 summary.

7 Q Are you telling me that Appendix C to your
8 report is not a summary of the data prepared by
9 Mr. Combs' office?

10 A What I'm telling you is that these two charts
11 is the result of the plot data based on the available
12 data recorded by these two stations. And that was
13 prepared by Mr. Combs' assistant and provided it to me
14 for my evaluation about the rainfall, as you can see the
15 difference or there's any a difference in these two
16 stations. They are pretty much the same pattern in the
17 same period.

18 Q Is Mr. Combs' assistant, to your knowledge, a
19 civil engineer?

20 A I don't know.

21 Q Is Mr. Combs' assistant, to your knowledge, a
22 geotechnical engineer?

23 A I don't know.

24 Q Is Mr. Combs' assistant, to your knowledge, a
25 meteorologist?

1 A I don't know. But I can tell you to do these
2 things, produce a chart, it's just, they presented to
3 do. Anybody can do it. As long as you can download the
4 data, you can plot it. Everybody can do it if you have
5 some experience in office work using the spreadsheet and
6 produce the chart. There is no need for technical
7 background to understand how to produce this chart.
8 It's just a graphical format, okay?

9 Q Let's go to section -- well, we're still on
10 section 1.2 of your report. And we here have, at
11 page 5 of 50, a map. Do you see that?

12 A Yeah.

13 Q And there's a note that says "site." Do you
14 see that?

15 A Yeah.

16 Q Who prepared this note?

17 A It's just the site, my drafting person asking
18 to put the site vicinity to map for the preparation of
19 my report.

20 Q So you did not prepare this chart either;
21 correct?

22 A No.

23 Q Is that correct?

24 A That's correct.

25 Q Okay. How about the next page, figure 2? It

1 appears to be an aerial view of the community. Do you
2 see that?

3 A Yes.

4 Q And, again, we have a note saying "site." Did
5 you prepare that note?

6 A As I told you, it's my drafting person. I
7 told him where is the site, to point it out to that
8 Unit 139 and put it on this one as the aerial view map
9 to -- for better illustration of the site. I did not
10 personally prepare this figure. It's my drafting person
11 prepared in assisting me to prepare -- put together in
12 the report as figure 1 and 2.

13 Q Okay. So earlier, you testified that you
14 prepared this report by yourself; correct?

15 MR. COMBS: Objection. Misstates his testimony.

16 THE WITNESS: I -- yes, I prepare myself, this
17 report. But I'm not necessary to prepare this figure 1
18 and 2 because I have the drafting person. He can put
19 together, based on my instruction, to tell him how to
20 prepare figure 1 and 2.

21 BY MR. ALEXANDER:

22 Q And who was the drafting person on this case?

23 A He's called Manny Simbulan.

24 Q Manny what? I'm sorry.

25 A I don't know how to spell his last name. It's

1 Manuel. We call him Manny, M-a-n-n-y.

2 Q You don't know your assistant's last name?

3 A I do, but I need to, you know, look around
4 there to tell -- do you need his last name?

5 Q Yes, please.

6 A Let me see, hold on. Let's see, his last name
7 is spelled S-i-m-b-u-l-a-n. We call Manuel,
8 M-a-n-u-e-l. We call last name Simbulan.

9 Q Okay. Thank you. I have his last name now.
10 On page 4 of your report, you wrote this;
11 correct?

12 A Yes.

13 Q The Las Brisas Pacificas, Inc., the
14 association, alleged that the surficial slope failure
15 that occurred on or around April 27, 2023, was the
16 result of prolonged heavy rain events that produced
17 above normal, more than 20 inches, precipitation in the
18 rainy season from 2022 to 2023.

19 Do you see that language?

20 A Yes.

21 Q What document did you rely on in order to make
22 that conclusion?

23 A From the information provided by Mr. Combs in
24 the -- on the cross-complainant's complaints.

25 Q So Mr. Combs informed you that this was the

1 HOA's allegation; correct?

2 A Well, Mr. Combs just send that the HOA is the
3 defendant and was sued by the plaintiff in this case
4 regarding the surficial slope failure.

5 Q Where does the association take that 20-inch
6 number from?

7 A That was the information provided by
8 Mr. Combs.

9 Q How was that information provided to you?

10 A I believe in email or something in that form.

11 Q Okay. Let's go to your email folder, and tell
12 me which one of these emails --

13 A I don't know which one. You have to open up
14 every single one and go through.

15 Q Is it fair to say that if none of these
16 provide the information you just testified to, that
17 Mr. Combs did not provide you the information via email?

18 A Can you say that again?

19 Q Is it fair to say that if none of these emails
20 in subfolder 5 provide the information we just discussed
21 relating to the 20-inch rainfall?

22 A I don't know it was included in this group of
23 emails or not. But I believe the information was -- was
24 given to me in whatever the form of the information,
25 either in the production documents or in the email,

1 whatsoever. It was background documentation that I
2 relied on.

3 Q So you have a document that you're prepared to
4 offer at trial that provides there was a 20-inch rain
5 precipitation in 2022 to 2023 that the HOA relied on in
6 drawing this conclusion, that that's what caused the
7 slope failure; is that correct?

8 A Yeah.

9 Q Where is that document?

10 A As I told you, those are information provided.

11 Q Well, it's your job file and I'm entitled to
12 know where exactly that document is.

13 A Well, I have to take a look again. I mean,
14 provide it to you to know where this information is
15 coming from.

16 Q Off the record when we take a lunch break, I
17 want you to identify that document.

18 Okay?

19 A All right.

20 Q We're going to continue.

21 You testified that you have not read any of
22 the deposition transcripts for this case that have taken
23 place to date; correct?

24 A Correct.

25 Q Would your opinion change if you were to find

1 out that the HOA's PMQ in this matter testified that the
2 HOA made the determination that it was excessive rain
3 precipitation that caused the slope failure and it was
4 based off of GeoTek's preliminary report?

5 A So what's your question?

6 Q Would that change your analysis or any of your
7 opinions in this matter?

8 A No, my conclusions still remain the same.
9 It's caused by the prolonged heavy rain and the site
10 soil conditions as the contact, very shallow, that
11 allows water to migrate downwards and saturate the soil,
12 causing the slope failure.

13 Q And you have not conducted any destructive
14 testing to make that determination; correct?

15 MR. COMBS: Objection. Asked and answered.

16 MR. ALEXANDER: You may answer.

17 THE WITNESS: I did not any destructive testing.

18 BY MR. ALEXANDER:

19 Q Nor did you ask for destructive testing;
20 correct?

21 A As I told you, there's no need to do the
22 subsurface -- destructive testing.

23 Q And that's because you're relying on the
24 GeoTek report; correct?

25 A Not only GeoTek report -- not only GeoTek

1 report, but also all the information gathered, provided,
2 that formulate my opinion. I'm not just solely relying
3 on GeoTek's report.

4 Q Okay. We're going to go to section 2.1 of
5 your report, the French drain installation, and I'll
6 focus your attention to the following language. The
7 previous owner built a temporary retaining wall --
8 strike that. Let's start from the beginning.

9 In 2019, the previous unit owner encountered a
10 very wet back wall, and water was soaking through the
11 back retaining wall. Mud was heavily spilled over the
12 wall. The previous owner built a temporary retaining
13 wall with bricks to try and capture the mud from the
14 slope. Paint was peeling off the wall as it was so wet.

15 Do you see that paragraph?

16 A Yes.

17 Q How did you obtain the information with
18 respect to the first sentence?

19 A I believe it's from the plaintiff's complaint
20 or the email or the production documents that talk about
21 all these back drain things. And there's photos that
22 are provided with the brief -- which, I believe, is
23 mentioned, extract from Ms. Mann's email to the HOA.

24 Q But you're speculating. You're --

25 A I'm not speculating. I'm sorry, I'm not

1 speculation. I'm reviewing the data and information
2 provided, and I just reiterate it in my report.

3 Q Okay. You state that mud was heavily spilled
4 over the wall; correct?

5 A That was stated in the complaint.

6 Q And the previous owner built a temporary
7 retaining wall with bricks to try and capture the mud
8 from the slope.

9 You wrote that; correct?

10 A That's also reiterate the information that I
11 gather from the complaint or other documentation I
12 reviewed. It is not my word. I just repeated the
13 information presented here as background information.

14 Q Show me where there's a statement that the
15 previous owner built a temporary retaining wall with
16 bricks to try and capture the mud from the slope.

17 A It's got to be somewhere in the plaintiff's
18 complaint or other emails, you know, in the production
19 that I reviewed and I put there together. I can't find
20 it for you. I mean, there's so many documentations. I
21 have to go through, going to spend hours to try to get
22 all this information.

23 Q So as you sit here right, you don't know where
24 this information is coming from; correct?

25 A I know where it's coming from. It's coming

1 from whatever information from the complaint, from the
2 email, from the -- there was, like, mandatory settlement
3 or something and they talk about the detail from
4 Ms. Mann's attorney and other things produced by
5 Mr. Combs. All these things coming together as one
6 piece of information that talk about all these things.
7 I just reiterate, put it in as the background in my
8 report.

9 I'm not speculating anything regarding this
10 French drain. That's information provided to me.

11 Q Are your opinions based on the factual
12 background that you relied on in your report?

13 A Yes.

14 Q And if that factual background is, in fact,
15 incorrect, would that also mean that your opinions are
16 incorrect?

17 A What do you mean "incorrect"?

18 Q If the factual background that you have
19 alleged in this report is not accurate, if the
20 statements are not consistent with the record, would
21 that affect your opinions in any way?

22 MR. COMBS: Objection. Vague and ambiguous.

23 MR. ALEXANDER: You may answer.

24 THE WITNESS: As I told you, all this information
25 in the background documentation review are based on my

1 review of the background information. I basically
2 review it and I put in my report just to reiterate the
3 background information. So when I review my report, I
4 will get the information to be -- better understand what
5 was done during the 2019 the French drain installation.
6 That's about it.

7 BY MR. ALEXANDER:

8 Q Are you relying on anybody else's summaries of
9 the allegations and the factual background in
10 formulating your background understanding for this
11 matter?

12 A Whatever was described, information or actual
13 background that included in the plaintiff's complaint
14 and the cross-defendant -- I mean cross-complainant
15 provided on behalf of HOA, are those information I
16 reviewed. In addition to that, I also reviewed all the
17 documentations provided to me by Mr. Combs' office that
18 is included the mandatory settlements, you know,
19 meetings or something, emails, photos, and the like.

20 All the information basically is -- I just
21 reiterate those factual information in my report.

22 Q Did you review the HOA's document production
23 in this case?

24 A I don't think I reviewed HOA production. But
25 whatever produced by HOA, which is Mr. Combs believes I

1 need to know, he put into that -- all the documentation
2 sent to me, I deposit in that report by other's folder
3 in my job file submittal.

4 Q Let's go to the next paragraph. The
5 association hired its landscaping contractor, Green
6 Horizons Landscaping & Maintenance, Inc., Green
7 Horizons, to mitigate the reported drainage issues.

8 What reported drainage issues are you
9 referring to?

10 A That's the first paragraph talking about.

11 Q In 2019; correct?

12 A Yes.

13 Q And you write, Our review of the proposal and
14 contract by Green Horizons dated January 29, 2019,
15 revealed that Green Horizons's proposal, quote, for
16 French drain behind retaining wall at space 139.
17 Alternate provided to cut small section of concrete to
18 tie into existing drains, end quote. You continue, The
19 scope of work for Green Horizons' proposal included
20 material for installation of plus or minus 75 feet of
21 French drain, perforated pipe wrapped with filter sock
22 and covered with 1-inch of crushed rock. Depth of drain
23 to be plus or minus 3 feet. Soil removed to be
24 stockpiled on-site, protected, reinstall what is needed
25 to grade, and haul off the rest.

1 Do you see that language?

2 A Yes.

3 Q Is it your understanding that it was Green
4 Horizons who installed the French drain at issue in this
5 case?

6 A Well, as contract says.

7 Q That's not my question.

8 A I believe it is the Green Horizons installed
9 the French drain.

10 Q Do you have an understanding of whether or not
11 Green Horizons is a licensed civil engineer?

12 A I don't know.

13 Q Do you have an understanding of whether or not
14 Green Horizons is a geotechnical engineer?

15 A No.

16 Q Do you have an understanding as to who Green
17 Horizons is?

18 A Well, it says here landscape contractor.

19 Q Well, how did you come to understand that
20 Green Horizons is a landscape contractor?

21 A That it says, Green Horizons Landscaping &
22 Maintenance, Inc. That's the HOA's landscaping
23 contractor, the first sentence in this paragraph.

24 Q And how did you come to the understanding that
25 Green Horizons is the association's landscape

1 contractor?

2 A It's the same thing. I review all the
3 background information, complaints, cross-complainant's
4 complaint, and the documentation provided. That is
5 included in the Bates stamp number I provided. That is
6 the contract provided by Green Horizons.

7 Q Okay. Now, as a civil engineer, are you
8 prepared to offer an opinion as to what causes slope
9 destabilization?

10 A I already answered your question. Because of
11 the -- due to the prolonged heavy rain. It saturated
12 the soil.

13 Q That's not my question, though. And I
14 appreciate that.

15 But my question is: As a civil engineer, are
16 you prepared to offer opinion as to what could cause, in
17 general, slope failure destabilization?

18 A Yes.

19 Q Other than rain, what else can cause,
20 generally, slope destabilization?

21 A So many factors.

22 Q Okay. Give me three.

23 A For example, did excavation or something that
24 caused the destabilization of the resistant force,
25 causing the slope failure. Or they did something or

1 they add water on top or adding more structures or
2 building or retraining wall on top of a slope, that the
3 slope cannot -- it cannot -- causing the driving force
4 to increase that caused the slope failure. There are so
5 many things that is in between that could cause, you
6 know, failure.

7 Also, mud flow, mudslide, landslide. All kind
8 of things could contribute to the slope failure.

9 Q Can insufficient ground cover contribute to
10 slope destabilization?

11 A Yeah, one cause -- factors is because the
12 slope was not covered by the vegetation. When it rains,
13 it could disintegrate easily than compared to the other
14 area, which is full of vegetation.

15 Q Let's go back to the gopher question. Would
16 gopher holes destabilize a slope?

17 A Could be. It depends how frequent the gopher
18 holes was present in the slope and how the slope was
19 maintained or abated for the gopher activities. It
20 could be one of the possible contributing factors, but
21 not for this case.

22 Q How large is the slope at 139?

23 A How large?

24 Q Yeah. How would you describe slope 139? What
25 is the degree of incline? What kind of soil? How many

1 cubic feet of dirt are there?

2 MR. COMBS: Objection. Compound.

3 Ask your question one at a time, please,
4 Counsel.

5 BY MR. ALEXANDER:

6 Q Do you understand the question as a civil
7 engineer, Mr. Huang?

8 A Yes.

9 Q Then please provide me with an answer.

10 A It's not how large. It's how high is the
11 slope based on the elevations. In --

12 (Crosstalk.)

13 Q How high is --

14 (Crosstalk.)

15 Q How high is the slope?

16 A About 25 feet.

17 THE COURT REPORTER: You guys need to talk one at a
18 time.

19 MR. COMBS: Counsel, you are continuing to
20 interrupt the witness, despite your admonishment to him
21 to not interrupt you. So if you have an objection,
22 lodge your objection; otherwise, let him talk.

23 MR. ALEXANDER: The objections are in your corner,
24 Mr. Combs.

25 / / /

1 BY MR. ALEXANDER:

2 Q Mr. Huang, you understood my question and I
3 believe you testified it's 25 feet; correct?

4 A Something on that order, yes.

5 Q Well, is there room for error in your opinion?

6 A Well, it was described in the soil tech's
7 report.

8 Q When you went on the site investigation on
9 May 21, 2025, did you personally take a measurement of
10 how high the slope is?

11 A No, I don't need to do that.

12 Q Why not?

13 A Because I have the information.

14 Q Okay. So how high is the slope?

15 A The slope is from elevation 515 to elevation
16 of 494. That's roughly 25 feet plus/minus, and it was
17 two-to-one slope, horizontal to vertical.

18 Q And what does that mean, two-to-one slope?

19 A Two-to-one slope means if you use the tech's
20 measure of horizontally, you touch to the slope surface,
21 okay, and say you measure 10 feet, all right, in
22 distance. Where you stand, measure the -- horizontally
23 to touch the slope surface, then you make a vertical, go
24 down, to touch the slope surface, say, 5 feet, then the
25 ten-to-five is two-to-one. So that tells you the slope

1 inclination ratio is roughly about two-to-one slope.

2 Q Is that a very complex task to do?

3 A No.

4 Q But you did not perform it when you were on
5 the slope; correct?

6 A No.

7 Q You relied on GeoTek in its findings; correct?

8 A I have a topo and their report. I have their
9 information and I -- you know, they review the original
10 background grading plans. All the information provided
11 is the fact that I don't need to feel to verify whether
12 the slope was built two to one or not.

13 Q In other words, you did not verify whether
14 GeoTek's findings as to the slope gradings are accurate;
15 correct?

16 MR. COMBS: Objection. Mischaracterizes his
17 testimony. He just said he checked the topography maps.

18 MR. ALEXANDER: Mr. Combs, you're not testifying.
19 That's a speaking objection.

20 BY MR. ALEXANDER:

21 Q And the topography maps were attached to
22 GeoTek's report; correct?

23 A They described in their tech's report in the
24 report.

25 Q And GeoTek used those maps for its own

1 conclusions; correct?

2 A Well, based on their review, they come to
3 their conclusion that is the site slope. It was graded
4 as two-to-one slope roughly about 25 feet, plus/minus.

5 Q From a civil engineering perspective, does
6 that grading of two to one have any significance to you?

7 A Well, that's the current Code. Also, the
8 older slope should be built no steeper than two-to-one
9 slope. Previously in earlier, you know, it was allowed
10 to build one and a half to one. But I believe current
11 Code would require the two-to-one slope as maximum slope
12 inclination. Unless there's a site specific that needs
13 to be -- have spatial variations and there may be
14 granted by the governing agency at the time of the
15 construction or the investigation or the grading
16 project.

17 Q And what was the time of the grading
18 project -- strike that.

19 In what year was slope 139 originally graded?

20 A It was -- I think it was in my report and you
21 have to go through the background information for the --

22 Q Well, I'm asking you. It's your report.
23 You're sitting here now. When was that this slope
24 graded originally?

25 A Some point in 1987, in that timeframe. But

1 like I say, there's a big report. There's lots of
2 information included there. You cannot ask me with, you
3 know, questions that without me to look into those
4 information to give you the answers. I'm not a mind
5 reader. I'm not the guy who can remember everything,
6 okay? I do know when I review the job file and to
7 prepare my deposition, I do know they're somewhere in
8 timeframe. That's it.

9 I can give you approximate timeframe. I can't
10 give you exact what's going on there. I have to refer
11 to whatever I says in my report to tell you the correct
12 answer.

13 Do you understand that?

14 Q So go ahead and refer to your report and let
15 me know when the original -- the slope was graded in the
16 original condition prior to French drain installation.

17 A Okay. Let's see, background information, if
18 you can scroll into the background information, it's got
19 to be -- okay. In section 2.4, limited GeoTek
20 investigation by GeoTek, there are two reports I refer
21 to, okay? So GeoTek conduct research -- on page 7,
22 third paragraph, it says that GeoTek conducted research
23 and assessment of the site development in they revealed
24 that the Las Brisas Pacificas, Inc., residential
25 community was originally rough graded in 1987.

1 Do you see that? And that's my recollection
2 to tell you about 1987. So my memory serves me
3 correctly.

4 Q Did you independently verify what GeoTek's
5 findings were with respect to when the development where
6 this slope was originally graded in 1987?

7 A Well, I did an online Google search. I know
8 when the house was built. I don't have background
9 grading plans that was reviewed by GeoTek.

10 Q Did you request?

11 A Well --

12 Q Let me finish my question, sir.

13 Did you request to Mr. Combs authority to pull
14 those original grading plans from the city of San
15 Marcos?

16 A I believe I requested it, but there's no
17 information that he can obtain.

18 Q So you were not given permission to pull the
19 original as-graded plans for the landscaping Las Brisas
20 community and slope 139; is that accurate?

21 A As I told you, GeoTek provide those
22 information. There's no need for me to verify, go over
23 again, spend money for no reason to verify yes, the site
24 was graded in 1987. What is the purpose for doing that?
25 It's totally waste of time. You've got to rely on the

1 information, technical data information revealed by
2 GeoTek.

3 Q Okay. So again --

4 A They are the professional. You keep asking me
5 whether those guys are civil guys or the GeoTek guys or
6 not. GeoTek is a geotechnical firm. They have
7 sufficient background to how to review the grading plan
8 verifications of the site before they do their
9 investigation.

10 Q And, again, that's why you relied on them;
11 correct?

12 A Of course.

13 Q And that's why you're relying on their reports
14 and finding your own conclusions in this case; correct?

15 A Well, that's part of my evaluation. I'm not
16 solely rely on their information. I gather all the
17 information that are provided to me to formulate my
18 opinions. And that is more than what they are, you
19 know, anybody says.

20 Q Now, you testified earlier that the two-to-one
21 slope incline is currently the steepest that is allowed
22 per Code, unless there's some variations; correct?

23 A Well, like I say, current Code asking for no
24 later than -- no steeper than two-to-one slope period.

25 Q What does the Code call for -- or what

1 restrictions in the Code provide for with respect to
2 slope incline in 1987?

3 A I believe it's about the same, two to one.

4 Q What Code section provides for that?

5 A I don't know. I have to look into that.

6 Q You don't know what Code section provides for
7 that?

8 A It's not in my memory here. I have to look
9 into the Code to tell you.

10 Q What version of the Code applied to the slope
11 at 139 when it was graded in 1987?

12 A '87.

13 Q It was the '87 Code?

14 A No, I don't think there's '87. The Code
15 change every three years.

16 Q So what version of the Code was it? What
17 year?

18 A Well, either prior to 1987 or 1985 or 1988.
19 It will be after, so 1985 Code, most likely.

20 Q You're speculating, aren't you?

21 A I'm not speculating. I told you the Code was
22 updated every three years.

23 Q So my specific question is: What version of
24 the Code applied to the grading at slope 139 when it was
25 graded in 1987?

1 MR. COMBS: Objection. Asked and answered.

2 MR. ALEXANDER: He has not answered it. He's
3 speculating.

4 THE WITNESS: I'm not speculating. Whatever the
5 Code.

6 (Crosstalk.)

7 THE WITNESS: Listen, don't interrupt me. What I'm
8 saying is, when the grading plan says it was dated, say,
9 1987, they were Code for that applicable Code in their
10 grading print. So if there's a grading print, whatever
11 the Code, current, prior to the grading print
12 preparation. That is the Code applicable to this
13 project.

14 BY MR. ALEXANDER:

15 Q But you don't know what version of the Code
16 was applicable to this project, do you, Mr. Huang?

17 A I told you. I need to look into that to see
18 what would be the Code prior to 1987 and tell you the
19 Code year of that Code.

20 Q Move to strike as nonresponsive. I'm going to
21 ask my question again and I expect an answer or you can
22 tell me you don't know.

23 What version of the Building Code applied to
24 the slope at 139 when it was graded in 1987?

25 A I don't know.

1 Q Okay. What section of that Code would apply
2 with respect to how the slope is to be graded?

3 A I don't know.

4 Q Okay. Let's go to current time. What section
5 of the current Code applies to slope grading?

6 A Current Code is 2025.

7 Q And what section of the current Code applies
8 to slope grading?

9 A I don't know.

10 Q From a civil engineer perspective, does
11 changing the as-graded slope condition affect slope
12 stability?

13 A What do you mean?

14 Q Well, earlier, you testified that excavations
15 in the slope can affect slope stability, adding water to
16 the slope could affect slope stability, mud flow could
17 affect slope stability, growth cover could affect slope
18 stability, as well as gopher holes; correct?

19 A Yes.

20 Q Well, let's take the first one. How can
21 excavations affect slope stability?

22 A Well, if a slope was built as-is and you cut
23 into the slope on the lower property and try to create a
24 retaining wall, for example, and that will reduce the
25 stability of the as-built slope. And that may cause the

1 slope failure, but it depends on lots of factors, again,
2 that, you know, how was the cut designed and implemented
3 by the professional engineer provided the recommendation
4 during construction to prevent a possible slope failure
5 in order to prepare construct the retaining wall at the
6 toe of the slope.

7 Q So is it your opinion that before you cut into
8 the toe of the slope, you would need to consult an
9 engineer to do that work?

10 A Well, it depends on how high the retaining
11 wall you're doing. If you're going to construct a
12 10-foot high retaining wall, of course, you need to hire
13 the GeoTek to do an investigation to determine how high
14 the retaining wall can be utilized or what kind of
15 foundation need to be supported. In this case, this
16 would be implemented before you can do actual
17 construction. And you need to submit the report to the
18 City to pull the permit before you can do the
19 construction.

20 Q How about cutting into the slope, which was my
21 question? You answered, I think, your own question with
22 respect to retaining wall, which I did not ask.

23 But my question is: Before you cut into the
24 toe of the slope, is it your professional opinion that
25 you need to consult an engineer before cutting into the

1 toe of the slope?

2 A Oh, I would recommend to consult with GeoTek
3 to better understand whether the proposed or intention
4 to cut into the slope would be suitable without causing
5 potential slope failure.

6 Q Why is that important from a civil engineer
7 perspective?

8 A For everybody it's important. It depends on
9 slope height, exposed ratios, how steep is the slope,
10 what are you doing for the slope to cut into the slope?
11 If you just cut it very small, put something, you know,
12 a foot height, a garden wall, there's no need to consult
13 GeoTek, okay? Your question is too vague.

14 Q What about a slope that is a two-to-one
15 elevation slope? If you were to cut into the toe of
16 that slope, what would be your recommendation? Still
17 consult a civil engineer?

18 A How high is the slope you're going to cut into
19 the slope?

20 Q Well, let's take this slope specifically at
21 139. My question stands.

22 A Well, yeah. So there's an existing retaining
23 wall roughly about 5-feet high that was cut and build a
24 retaining wall. According to GeoTek, they're saying
25 that the original grading print does not show a

1 retaining wall, meaning that either the builder or the
2 previous owner, they cut into the slope and build a
3 retaining wall, okay? I don't know whether there was
4 consult by the GeoTek or not. But there was cutting
5 into the slope.

6 Q Okay. Are you critical of that cut without a
7 consultation, assuming there was no consultation of a
8 geotechnical or a civil engineer?

9 MR. COMBS: Objection. Incomplete hypothetical.

10 THE WITNESS: Are you talking about existing
11 retaining wall or no?

12 MR. ALEXANDER: Yeah, existing one.

13 THE WITNESS: I don't know who built that existing
14 one.

15 BY MR. ALEXANDER:

16 Q Okay. Well, your report states -- and we're
17 going to go back to it -- at 2.1, I'm sorry, 1.2. I'm
18 dyslexic a little bit.

19 MR. COMBS: Counsel, one minute. I need to reboot
20 my computer.

21 MR. ALEXANDER: That's okay. I have a pending
22 question.

23 MR. COMBS: Yeah, he can answer the question and
24 then I'll need to reboot on my dollar.

25 / / /

1 BY MR. ALEXANDER:

2 Q Actually, I was not being dyslexic. I
3 apologize. It's 2.1. You write that the previous owner
4 built a temporary retaining wall with bricks to try and
5 capture the mud from the slope. We went over that
6 testimony already.

7 Do you know if the retaining wall was actually
8 installed with the recommendation of a civil engineer?

9 A I don't know.

10 Q And then you go on to provide that Green
11 Horizons installed the French drain; correct?

12 A Yes.

13 Q How would Green Horizons install a French
14 drain at the slope?

15 A How?

16 Q Yes.

17 A All I can see is the photos that was
18 discussed -- extract from Ms. Mann's email to HOA talk
19 about based on the -- her understanding of the
20 information provided by previous owner that discussed
21 several items, one, two -- I mean, the events that when
22 in March 2024, something like that, 2019, was French
23 drain slope was opened behind the retaining wall and the
24 French drain was installed. It shows several photos.

25 But all I can see in the photo is excavation

1 behind the retaining wall and the pipe was laid down
2 into the trench, bottom of the trench. That's all I can
3 see from those email or whatever information provided.
4 But Green Horizons' proposal contract says very clearly,
5 there was ready-to-pipe wrapped by the geofabric with
6 the 1-inch crushed rock drains to discharge any
7 collected water into suitable disposal areas.

8 Q Is there any reference that a civil engineer
9 was consulted by the HOA prior to the installation of
10 the French drain?

11 A I don't know. I don't think by installing
12 installation of the French drain, you know, it would be
13 required to -- a GeoTek engineer to provide a
14 recommendation for the French drain.

15 Q Why is that?

16 A Huh?

17 Q Why is --

18 A Because the landscape issues, due to the
19 drainage, water coming down from slope caused, you know,
20 the overflow. Or they need to just improve the drainage
21 and to buy suitable system to direct water -- collect
22 water from surface and discharge to the suitable areas.

23 Q How --

24 MR. COMBS: Counsel, I'm sorry, before you go on, I
25 need to reboot. Give me 6 minutes. You guys can stay

1 on. \$54 credit coming from me. I need to reboot. I'm
2 sorry.

3 MR. ALEXANDER: Go ahead. Let's take a five-minute
4 break.

5 MR. COMBS: Thank you.

6 (Brief recess.)

7 MR. ALEXANDER: Let's go back on the record.

8 BY MR. ALEXANDER:

9 Q Mr. Huang, you understand you're still under
10 oath; correct?

11 A Yes.

12 Q You were offering the testimony that
13 excavation in the toe of the slope could destabilize the
14 slope; correct?

15 A Depends on how high is the excavation.

16 Q What do you mean by that?

17 A Well, if you cut 10 feet into the slope, there
18 could destabilize the slope. If you cut only 1 foot, I
19 don't think there would be cause to destabilize the
20 slope.

21 Q At what depth was the French drain installed
22 by Green Horizons in 2019?

23 A According to the contract, it says 3 feet.

24 Q Are you relying on any other documentation,
25 other than this referenced contract, to make the

1 determination as to how deep the French drain was
2 installed by Green Horizons?

3 A There was excavation photos with the pipe laid
4 down in the trench that I could estimate pretty much the
5 same depth as discussed -- presented in the Green
6 Horizons' contract is 3 feet.

7 Q And how wide was the trench that was excavated
8 by Green Horizons to install the French drains?

9 A Probably about 12-inch to 8-inch wide, very
10 small trenches. You can see from the photos.

11 Q That's an estimate; correct?

12 A Yes.

13 Q You have not measured it; correct?

14 A I was not there in 2019. How am I going to
15 measure? Are you crazy?

16 Q What kind of pipe was used for the French
17 drain?

18 A I saw it's a white drainage pipe.

19 Q Was it solid or perforated?

20 A If it's perforated, it's got to be face down.
21 But because pipe already laid down to the bottom or I
22 can see there was a drainage pipe installed at the
23 bottom. That's it.

24 Q Did you say if it is perforated?

25 A I mean, according to Green Horizons, the

1 contract, they say the French drain is perforated pipe;
2 right? You read back that sentence, okay? But the
3 photos, what I can see with the excavation and the
4 lay-down of the drainage pipe, I don't see perforation
5 hole at -- you know, in that photo. Because the correct
6 installation of the perforation pipe is for the
7 perforation hole facing down, okay? But the pipe has
8 been already installed in the trench.

9 I cannot verify whether the pipe is a solid
10 pipe or perforated pipe. But according to Green
11 Horizons, they are supposed to install the perforated
12 pipe.

13 Q In your opinion as a civil engineer, what is
14 the difference between a perforated pipe and
15 non-perforated pipe with respect to a French drain?

16 A Well, perforated pipe allows the potential of
17 perched groundwater migration up from the bottom to go
18 into the perforated -- into the pipe through the
19 perforation hole and to carry the water to discharge to
20 the suitable drainage area. That is what a typical
21 French drain, the purpose. If you have shallow
22 groundwater in the ground that you require to mitigate
23 the shallow perched groundwater conditions, and one of
24 these -- our recommendation would be to install the
25 trench drain or French drain, whatever you call it.

1 And with the perforation pipe and to rev it up
2 with the geofabric and the crushed rock to direct the
3 water to intercept any potential shallow perched
4 groundwater from the bottom to go into the perforated
5 pipe and carry over to the dischargeable drainage
6 facilities.

7 Q So let's break that down a little bit. It's
8 my understanding, based off what you just testified,
9 that the purpose of the French drain is to take existing
10 perched groundwater and carry it away from the slope to
11 an outlet for water management; correct?

12 A Well, let me make it clear. The French
13 drainage, when you lay down into the trench, then if any
14 perched groundwater present or seep in the area where
15 the French drain was installed, those perched
16 groundwater can be -- goes into the pipe through the
17 perforation holes. And those water by a slope -- pipe,
18 gradient, can direct the water toward whatever
19 designated or the suitable discharge drainage facility
20 to carry out.

21 So that you can eliminate groundwater --
22 perched groundwater or whatever groundwater -- permanent
23 groundwater elevations to be risen up above the French
24 drain, causing the backyard damage or drainage issues.
25 Because you installed a trench drain/French drain that

1 can limit whatever the depth that you designed are for a
2 French drain to collect the potential perched
3 groundwater below ground to carry away from the site.
4 That's the purpose.

5 You don't want to have the water come to the
6 surface and cause the damage to the backyard.

7 Q Would that water, if it came to the surface,
8 destabilize the slope?

9 A Say it again?

10 Q If the French drain was not taking water away
11 but the water was allowed to come to the surface, would
12 that destabilize the slope?

13 A Are you talking about the current 2019 French
14 drain behind the wall?

15 Q No, no, I'm talking in general.

16 A No.

17 Q If a French drain that is installed, with
18 intention of carrying water away; correct? That's the
19 purpose of a French drain is to carry existing water
20 away from the slope; correct?

21 A Well, you're talking about two different
22 things. The way I'm describing to you is the general
23 purpose to install the French drain to mitigate shallow
24 groundwater or perched groundwater conditions in the
25 yard area or even in the slope area, that allows water

1 to be intercepted by the French drain through the
2 perforated hole by the drainage gradient to keep water
3 away from the site, okay?

4 So your question is that if this --

5 Q Well, let me stop you there. So the purpose
6 of the French drain, we could agree, whether it's the
7 French drain at 139 or in general, is to take water away
8 from the site; correct?

9 A Well, it's not really take the water away from
10 the site, but it's for the purpose to intercept the
11 perch shallow groundwater below the pipe. When this
12 groundwater rises up, it would be able to go into the
13 pipe through the hole to carry over away from the site.
14 That's the purpose. It doesn't mean you --

15 Q What is the benefit of carrying water away
16 from the slope?

17 A What is the benefit of the -- what?

18 Q What is the benefit of that system carrying
19 water away from the slope?

20 A If you have a retaining wall, you don't want
21 to have a perched groundwater condition build up behind
22 a wall to cause hydrostatic pressure to cause the
23 retaining wall failure.

24 Q Does that also cause slope failure?

25 A Not really. It's basically just, you know,

1 the retaining wall, it was designed properly. It may
2 have come for the hydrostatic buildup. But it would
3 be -- the practical thing is to prevent hydrostatic
4 buildup so that you can recommend for the French drain
5 to be installed behind the retaining wall. Particularly
6 for this case, where the bedrock of contact is very
7 shallow, about 3 to 5 feet, so install the French drain
8 with perforated hole facing down. When the groundwater
9 migrate down from other --

10 THE COURT REPORTER: You've got to slow down.
11 Sorry, I'm just not getting this.

12 Okay. So you said "barrel of contact"?

13 THE WITNESS: Where the bedrock of contact,
14 bedrock.

15 THE COURT REPORTER: Okay. Please slow down. I'm
16 having a very, very difficult time understanding you.
17 Thank you.

18 THE WITNESS: The bedrock contact is very shallow,
19 about 3 to 5 feet below the slope surface. So by
20 installation of the French drain to depth of about
21 3 feet below the toe of the slope surface, it would be
22 able to intercept any groundwater migrate down through
23 the bedrock contact to carry the water away from the --
24 behind the retaining wall to prevent possible
25 hydrostatic buildup behind the wall and further causing

1 the additional level of force to push the wall to cause
2 the wall failure.

3 BY MR. ALEXANDER:

4 Q What about surface water? How is that
5 handled?

6 A Well, these French drain has the drainage
7 grate or drainage inlet or you call the entry grate that
8 was installed at the distance that was the vertical pipe
9 tied into this French drain.

10 Q So in other words, this French drain was
11 getting surface water introduced into it; correct?

12 A Well, both. It's surface and it's subsurface
13 water. Now, keep in mind, water on the slope surface
14 from the upper slope, it doesn't mean it just flow like
15 it should flow, goes down to the toe of the slope. But
16 rather, most of the water on the upper slope is going to
17 migrate vertically down into the slope where it reach to
18 the bedrock contact and migrate from other slope down to
19 the bottom slope, okay?

20 That is the purpose to install the French
21 drain behind this retaining wall to intercept both
22 surface and perched groundwater condition below the
23 ground surface.

24 Q How did you come to the conclusion that the
25 purpose behind the 2019 installation of the French drain

1 at slope 139 was to capture the perched groundwater
2 condition that you just described?

3 A That was based on my evaluation of the site
4 geology, as I told you earlier today, and plus the
5 review of the GeoTek's findings with their subsurface
6 explorations identified perched groundwater conditions
7 within the landslide failure area at the contact, you
8 know, between the failure and the bedrock. That allows
9 the water to migrate down during the prolonged heavy
10 rain coming down into toward the toe of the slope behind
11 the retaining wall.

12 So if you only install the solid pipe behind
13 this retaining wall, there's no way to intercept
14 subsurface groundwater, particularly for this site
15 condition; okay? Of course, there was the retaining
16 wall back drain with -- or you could -- at the distance
17 at the base of the retaining wall that also serves at
18 the drainage outlet. It allows any perched groundwater
19 condition can migrate down to the bottom of the
20 retaining wall and to discharge to the backyard of the
21 plaintiff's backyard.

22 Q So the -- and thank you for that. I
23 appreciate that explanation.

24 Is it fair to say -- again, this is a summary
25 of your testimony, but just for frame of reference --

1 the purpose of the French drain is to take water away
2 from the slope, whether it came in from the surface or
3 down from the bedrock; correct?

4 A Well, the main purpose is to mitigate perched
5 groundwater conditions, not to rise up to cause, you
6 know, further damage to the site improvements.

7 Q Okay.

8 A But when the water goes into the pipe, it's
9 going to be carried away from the site.

10 Q That's the intention; correct?

11 A Well, yeah.

12 Q Okay. So when --

13 A But it depends on the depth of the French
14 drain you are going to use, okay? For example, in this
15 case, about 3 feet. But for other cases if you have
16 shallow groundwater and you want to eliminate all the
17 perched groundwater condition below 5 feet, so your
18 French drain maybe need to be excavated down at least
19 5 feet below grade before you can intercept any perched
20 groundwater to that depth to carry it away from the
21 site.

22 Q But it's your opinion that the perched
23 groundwater at Lot 139 is at roughly 3 feet because
24 that's where the bedrock is; correct?

25 A Yeah, roughly.

1 Q Okay. And you just described a potential
2 where if the system is not operating, it could raise the
3 perched groundwater; correct?

4 A You're saying the clogging of the French
5 drainpipe?

6 Q No. My question is a little broader than
7 that. If the system you just described, which is
8 designed to take any water away from the slope which
9 includes perched groundwater and surface water, if that
10 system is not taking the water away, we could end up
11 with a water level that is rising; correct?

12 A Under your assumption, yeah, possible
13 groundwater may rise up.

14 Q And is that introduction of water one of the
15 items that we discussed earlier where you could have
16 slope destabilization? You identified that excavation
17 is one, and your second was water.

18 Is that the type of water you're discussing or
19 is it more general than that?

20 A No, it is nothing relating. The French drain
21 installation in 2019, nothing to do with the surficial
22 slope failure that occurred. Nothing to --

23 Q Well, let me stop you right there. I'm going
24 to interrupt you because that was not my question.

25 My question was: If you have the introduction

1 of water -- you know what, maybe I'll specify my
2 question. If you have the introduction of water as a
3 result of the French drain system that you described not
4 performing as intended, which is to take water away,
5 would that water be added to the slope?

6 A The water would be added behind the retaining
7 wall. That's it.

8 Q And what is the location that you're
9 describing behind the retaining wall?

10 A Wherever the French drain is located.

11 Q Is that at the bottom of the slope, Mr. Huang?

12 A The French drain is about 3 feet below the
13 surface of the toe of the slope.

14 Q Okay. And earlier, you testified that the
15 introduction of water to a slope could destabilize the
16 slope; correct?

17 A Your question is too general. I need to
18 narrow down to the specific.

19 What are you talking about?

20 Q Well, if you introduce water to the toe of the
21 slope, would that destabilize the slope?

22 MR. COMBS: Objection. Incomplete hypothetical.
23 Vague and ambiguous.

24 THE WITNESS: It may or may not. It is not an
25 absolute connection.

1 BY MR. ALEXANDER:

2 Q So at best, we don't know; correct?

3 A Well, we know for this case, it's nothing to
4 do with the French drain installation that caused slope
5 failure.

6 Q Well, let me ask you point-blank, then: What
7 do you base your opinion on that the French drain was
8 not a contributing factor to the slope failure?

9 A Because the French drain has been installed
10 since 2019. It was performing very well from 2019 to
11 2022. But because of prolonged heavy rain that's coming
12 from the contact migrate on the slope into the -- into
13 the slope through the bedrock contact, migrate down from
14 top to the bottom of the slope, and triggering the --
15 reducing the slope soil strength --

16 Q How does --

17 A -- causing the saturation.

18 Q I'm sorry, I thought you were finished with
19 your testimony on that one.

20 How does the introduction of water at the toe
21 slope reduce the shear strength of the slope?

22 A I'm saying the water, rainwater, prolonged
23 heavy rain, that amount, more than almost twice as
24 higher than normal, that was to percolate down into the
25 slope. And some of the water will migrate down along

1 the surface of the slope, okay? But most of the water
2 go into the underground through the bedrock contact, and
3 that migrate in that because of fractures of the --
4 fractures of the bedrock contact that caused the weak
5 soil to get saturated and reduce the strength of the
6 soil to cause the surficial slope failure.

7 It has nothing to do with the French drain,
8 whether it was a functioning well or not well, there was
9 buildup behind the retaining wall. In addition, the
10 retaining wall performing very well. There's no
11 information or damage of what I can see at the time of
12 my site inspection that indicates that the installation
13 of the French drain, and whatever possible buildup of
14 the groundwater behind the wall, could cause the damage
15 or the failure to the retaining wall, not to mention the
16 slope failure.

17 Q So is it your opinion that the rain season you
18 described in 2022, 2023, introduced water to the slope
19 at about twice the amount of typical rain?

20 Is that your opinion?

21 A Yeah.

22 Q And that introduction of water obviously
23 happened on the surface of the slope; correct?

24 A Well, both, surface and the subsurface. This
25 is --

1 Q Wait, wait, wait, wait, wait. How is the --

2 MR. COMBS: You're interrupting him. You need to
3 let him answer. You need to let him --

4 BY MR. ALEXANDER:

5 Q How is the water going to be introduced to the
6 subsurface?

7 A Come on. This is the --

8 Q It has to hit the surface first; correct?

9 A But this is the soil slope. When the
10 rainwater coming down to the ground of the slope, it
11 doesn't matter it has a vegetation cover or no cover.
12 The water, by gravity, is going to go down and percolate
13 down into the subsurface; correct?

14 Q Okay. Mr. Huang, when rainwater hits the
15 slope at 139, initially, it hits the surface; correct?

16 A Yes.

17 Q Some of it is absorbed in the process you just
18 described; correct?

19 A Yes.

20 Q Let's follow that water. It goes down. It's
21 absorbed and whatever is not absorbed by the soil itself
22 is going to hit bedrock and perch down to the toe of the
23 slope; correct?

24 A Yes.

25 Q And in this case, that water is met with a

1 French drain that was intended to take water away from
2 the slope; correct?

3 A Well, when the water eventually goes down to
4 that location, yeah, water is going to go down there,
5 yes.

6 Q Okay. Is the answer to my answer yes?

7 A Yes.

8 Q Now, let's follow the other path of water
9 which is not absorbed by the soil, not underground.
10 We're talking about surface water.

11 Okay?

12 A Okay.

13 Q You're with me?

14 A Yes.

15 Q That water that makes its way down to the toe
16 of the slope by way of shedding down the slope.

17 A Gravity flow.

18 Q I'm sorry?

19 A Gravity flow, by gravity flow.

20 Q Gravity flow, okay.

21 By gravity flow down the slope. At Lot 139,
22 where does that water end up?

23 A To the toe of the slope behind the retaining
24 wall. And that caused the overflow to the backyard, as
25 described by the previous owner, that have muddy or

1 something coming out from top of slope. That is the
2 surface water they are talking about.

3 Q In 2022 of 2023, when that surface water made
4 its way by gravity flow down the slope to the toe of the
5 slope, where did that water end up?

6 A Still go down to the toe of the slope on top
7 of the -- behind the retaining wall. And that was --

8 Q Did it overflow at that point?

9 A To the toe of the slope that the French drain
10 and the drainage inlet of grate already installed. That
11 will capture all the surface water to that drainage
12 system.

13 Q And, again, I know I'm beating a dead horse
14 here a little bit, but the purpose of that French drain
15 was to take that water away from the slope; correct?

16 A Well, that's part of the purpose --

17 Q Okay.

18 A -- to minimize.

19 MR. ALEXANDER: Let's take our lunch break. Let's
20 go off the record.

21 (Brief recess.)

22 MR. ALEXANDER: Let's go back on the record.

23 BY MR. ALEXANDER:

24 Q Mr. Huang, you understand you're still under
25 oath; correct?

1 A Yes.

2 MR. COMBS: It's Dr. Huang, by the way.

3 MR. ALEXANDER: Oh, okay. Dr. Huang, I didn't know
4 that was an issue, but your counsel has admonished me so
5 here we are.

6 BY MR. ALEXANDER:

7 Q Dr. Huang --

8 A Go ahead.

9 Q Do you care if I call you Mr. Huang or
10 Dr. Huang?

11 A It's okay. I mean, if you prefer, that's
12 okay.

13 Q Well, you earned the title, so --

14 A Well, I earned the title but I know you are
15 not typically encounter with a Ph.D. That's okay. So,
16 if, you know, easier for you to call me Mr. Huang,
17 that's okay.

18 Q Okay. Well, I don't know what to say about
19 never encountering or not typically encountering Ph.D.s,
20 but I will defer to your counsel and call you Dr. Huang.

21 A Okay.

22 Q Moving forward.

23 Okay. Let's take a look again at your report.
24 I will share my screen again. And, Doctor, I'm looking
25 at page 5.

1 A Okay.

2 Q This following language that you wrote, Our
3 review of the background documentation revealed the
4 French drains were cleared and tested by the association
5 on April 30, 2023.

6 Do you see that?

7 A Yes.

8 Q Is this the only instance, that you're aware
9 of, when the association cleared the drains?

10 A Yeah. I saw the photos that was the test
11 to -- I give you the Bates stamp numbers. You can refer
12 to those documents to know what I'm talking about.

13 Q And, Doctor, are you aware of any other
14 instances other than April 30, 2023, when the
15 association might have cleared these French drains?

16 A I do not know.

17 Q Are you aware of a schedule that the HOA
18 prepared for cleaning these drains that were installed
19 in 2019?

20 A I do not know.

21 Q Do you know who performed this April 30, 2023,
22 clear-out and testing?

23 A It was stated in those documents. I don't
24 recall whose test. It got to be the HOA members.

25 Q As you sit here today, do you know if the HOA

1 retained a third party to perform this testing on
2 April 30, 2023?

3 A I don't know. All the information I received
4 is based on these Bates stamp documents, that I know the
5 drain was verified and was cleared. And you can see the
6 water was drained out of the pipes on the south end of
7 the backyard.

8 Q How much water was introduced into the drains
9 on April 30, 2023?

10 A I do not know.

11 Q How much water exited the drains on April 30,
12 2023?

13 A Oh, I can see about a bunch of water coming
14 out. That --

15 Q How much?

16 A Huh?

17 Q How much water is it?

18 A I don't know how much water, but I can see the
19 water was flow from the outlet pipe, go to the backyard
20 to a certain distance.

21 Q And what photographs are you looking at?

22 A Well, I gave you the Bates stamp numbers. Can
23 you look it up, those Bates stamp numbers?

24 Q I'm asking you. You're relying on those
25 photographs to --

1 A Yes.

2 Q -- formulate your opinions; correct?

3 A Yes.

4 Q So where are those photographs?

5 A And I told you, it is under that -- the Bates
6 stamp documents. If you can pull it up, I can tell you
7 where are those photos.

8 Q So you don't have them as part of your job
9 file; correct?

10 A In my job file, in the report -- my other job
11 file. What are you talking about?

12 Q Let's see --

13 A I just don't know which documents for that
14 one, okay?

15 Q Well, earlier you testified that you prepared
16 for this deposition by reviewing your job file; correct?

17 A Yes, I reviewed, yes.

18 Q Okay. So point to me where in your job file
19 these photographs that you relied on are located.

20 A It's in those production in that report by
21 others under the first one, experts -- yeah, yeah, yeah,
22 yeah -- experts, there's a bunch of files -- I don't
23 know which one -- but that would be one of the
24 documents. Let me see if I can find -- but you should
25 have the list of the Bates stamp documents. You can

1 refer to the Bates stamp. That's what the legal guys
2 always do, what is the Bates numbers, and I gave you
3 the --

4 Q Well, Doctor, I'm not the testifying expert
5 today. I'm asking you to identify and to show me where
6 in your job file --

7 A Yeah, I can show you but I just --

8 Q -- where those photographs are located.

9 A I can't just find out at this time. I just
10 need to figure out where the photos are located, okay?
11 Let me see, hold on a second. It's likely in the
12 plaintiff's complaint exhibit.

13 Q Okay. Let's move on and go back to your
14 report at section 2.4.

15 A 2.4, okay.

16 Q You made the observation that perched
17 groundwater was observed by GeoTek at the geologic
18 contact of engineered fill overlying the Santiago
19 Formation in test pit excavation, TP-2, located within
20 the limits of the observed slope failure area. The
21 water was visible on fractured facies of claystone
22 gravel. Flowing or seeping water was not observed by
23 GeoTek during their field exploration.

24 Do you see that language?

25 A Yes.

1 Q And we're on page 8.

2 A Yes.

3 Q What do you base that language on?

4 A That is from GeoTek's report.

5 Q Do you disagree with GeoTek's observations
6 with respect to this portion of your report?

7 A No, I don't -- well, I agree with their
8 findings.

9 Q Okay. Which report are you referring to when
10 you talk about GeoTek in this instance?

11 A I think both of the reports they have because
12 pretty much similar. And look at the July 7 report,
13 will be more complete investigation, and you should see
14 the same language in their report.

15 Q All right. We'll get to that in a second.
16 Let's go back to water migration on the slope in the
17 2022/2023 rain season.

18 Okay?

19 A Okay.

20 Q Before the break, we had agreed that water
21 could be absorbed by the slope. Some of it is going to
22 go down to bedrock; correct?

23 A Yes.

24 Q And some of it is going to, by gravitational
25 pull, migrate down to the toe on the surface of the

1 slope; correct?

2 A Yes.

3 Q With respect to the water that's absorbed by
4 the slope, are slopes, in general, designed to absorb a
5 certain amount of water?

6 A What do you mean designed? Any soil will
7 absorb water. It doesn't matter designed or not
8 designed. As long as it was a compacted well, you know,
9 that prevent surficial slope failure, then the water can
10 be observed by the soil, or whatever was compacted,
11 engineer fill or natural soil. It's all absorbed water.
12 That's the nature of the soil; right?

13 Q Okay. How much water was the slope at 139
14 designed to absorb prior to being destabilized?

15 A I don't know.

16 Q Okay. Where would you find that information?

17 A What information?

18 Q With respect to how much water this slope was
19 designed to absorb.

20 A The slope was not designed to absorb the
21 water. The slope was designed for the safety stability
22 to reach minimum 1.5 factor of safety from static
23 standpoint conditions, and 1.1 seismic conditions for
24 the two-to-one slope allowed by the Code. So as long as
25 the slope is stabilized, you know, based on their

1 original slope stability analysis, the slope is
2 stabilized. They're not designed to absorb the water.

3 Q Again, you're referring to the Code and you're
4 giving me specific numbers this time. And quite
5 frankly, I couldn't understand what those numbers were.

6 A It's just factor of safety.

7 Q Factor of safety, is that what --

8 A Yes, yes.

9 Q And what was the factor of safety for this
10 two-to-one slope?

11 A By Code would be 1.5 factor safety for static
12 conditions. Under seismic condition, it should have
13 minimum 1.1 factor of safety.

14 Q And what does "factor of safety" mean?

15 A Factor of safety means the ratio between the
16 driving force and the resistance force, whenever the
17 resistance force is greater than driving force more than
18 1.5, the slope would be considered static condition
19 would be stable under 1.5 factor safety. Meaning that
20 you have sufficient strong resistance force to prevent
21 slope failure, okay? Under seismic condition, you can
22 reduce to 1.1.

23 But, again, under seismic conditions, the
24 resistant force is much larger than the driving force,
25 which is for seismic condition, 1.1 factor of safety.

1 (Crosstalk.)

2 Q So the --

3 (Crosstalk.)

4 A Wait, wait, wait. So if the slope is marginal
5 stable, what that means, that means most driving force
6 and the resistance force are pretty much equal; right?
7 So that is marginal stable. So if any conditions,
8 either driving force or resistant force, you know, it
9 increased by driving force or causing the slope to push
10 down, that will cause the slope failure, okay?

11 But what we typically say is factor of safety
12 of 1.5 is whatever the resistant force to hold the slope
13 against the driving force, no matter what was reduced by
14 the natural support at the bottom or by the additional
15 force applied through the top. That all accounted for
16 the driving force. As long as the resistant force that
17 you designed for the slope, you know, based on your
18 stability analysis calculations that reach to minimum
19 1.5 factors of safety under static condition. And 1.1
20 factor safety under seismic condition, the slope is
21 considered stable.

22 Q Okay. Thank you so much for that
23 clarification. It was very informative.

24 Is it fair to say that on any slope, including
25 Ms. Mann's slope at 139, there are two forces that are

1 working against each other at any one time? And that is
2 the driving force you described and the resistance
3 force; correct?

4 A Basically.

5 Q From a layman's perspective, just to
6 understand this better, is it fair to say that if the
7 resistance force is stronger than the driving force, the
8 slope is going to remain stable?

9 A Yeah.

10 Q And if the driving force, conversely, is
11 greater than the resistance force, the slope is going to
12 be destabilized; correct?

13 A Yeah, something like that, yes.

14 Q And it could be destabilized to the point of
15 failure; correct?

16 A Say that again?

17 Q It could be destabilized to the point of
18 failure; correct?

19 A Or it depends on the condition.

20 Q S it the absorption of water on a slope --
21 strike that.

22 If water is absorbed by a slope, which you
23 testified happens when it rains; correct?

24 A Yeah, let me explain more --

25 Q Well, let me see if I could get there with my

1 questions --

2 A Okay.

3 Q -- and then we can clarify it.

4 Okay?

5 A Okay.

6 Q You testified earlier that every slope is
7 going to absorb water; correct?

8 A Well, I mean, if it's soil, yes.

9 Q Yeah, okay, if it's soil.

10 Let's focus on 139 specifically. It absorbs
11 water from rain; correct?

12 A Yeah.

13 Q Does that water absorption tend to increase
14 the driving force or decrease it?

15 A No, it's not -- it depends on the amount of
16 water, depends on how long that soil -- I mean, water
17 would be in the soil. It doesn't mean that you don't
18 have water introduced into the soil, it's going to
19 either decrease the soil stability or not. No, that's
20 not what I'm saying. But keep in mind -- okay, let me
21 tell you -- here's the typical.

22 At the time of the construction, the grading
23 that was done in 1987, okay, the slope was built as a
24 two-to-one slope, as we know, okay, two horizontal to
25 one vertical, two-to-one slope. The slope height is

1 roughly 25-feet high, okay? All right. So under these
2 conditions when the slope was built by the engineer or
3 designer, verified during construction when it was
4 built, the slope is good to release to the homeowners of
5 whatever the owners purchased the property.

6 At that time, there's no irrigation at all.
7 Nothing. The slope is just compacted to whatever the --
8 you know, based on their compaction testing result,
9 okay? Minimum 90 percent compaction. So once this
10 condition is done, when the homeowner moves in, they're
11 going to do the improvement, install the drainage, and
12 do irrigation, plus additional rainfall coming down
13 occasionally here and there over time. So even
14 though --

15 Q Let me pause you there for a second to ask a
16 question and then you can continue.

17 When the slope is designed by the original
18 engineers in 1987 in this case, did those civil
19 engineers account for what you just described, those
20 changes in the slope, including the introduction of
21 sprinkler systems --

22 A They only verified based on their static
23 analysis what would be the factor safety is that they
24 can reach to the exceeding minimum Code required, 1.5
25 factor safety, okay, based on their subsurface

1 investigation, strength parameters obtained during their
2 laboratory testing. So they use those parameters to do
3 the stability analysis and come up to the conclusion of
4 the result saying that the slope will be grossly stable,
5 under 1.5 factor safety. And, you know, gravelly stable
6 under the seismic 1.1 condition. But we are talking
7 about not surficial failure, but rather --

8 THE COURT REPORTER: I need you to slow down.
9 Sorry to interrupt, but I am having a hard time getting
10 that. You were talking about not a surficial failure,
11 but rather?

12 THE WITNESS: Rather than the -- we were talking
13 about the global, g-l-o-b-a-l -- I mean, deep, d-e-e-p,
14 seated, s-e-a-t-e-d, deep-seated slope failure. We're
15 talking about a much deeper like landslide. We're not
16 talking about surficial slope failure that was this
17 case, okay?

18 So what I'm trying to tell you is that after
19 the production finished the grading, released to the
20 homeowner, there will be substantial irrigation,
21 planting, rainwater going to coming down into the slope
22 and yard improvements, okay? So the slope, the factor
23 safety, even though from the beginning after completion
24 of the grading, it could be more than 1.5 factor safety.
25 But it would be reduced further down to, you know, below

1 1.5 factor safety over the years, okay?

2 So because this condition continues to
3 irrigate the slope to, you know, whatever, introduce
4 water into the slope. But the slope was designed more
5 than 1.5 factor safety at the time of the grading. But
6 over the years, the stability of the slope is going to
7 be reduced to a certain amount because of the -- those
8 introduction of water by irrigation and rainwater and
9 the life, okay?

10 So you'll probably will reach to the factor
11 safety, some point, maybe 1.3, 1.2, in that timeframe.
12 But, again, we're still talking about deep-seated slope
13 failure, global slope failure, okay? But now for this
14 case, this case particularly, we are talking about
15 surficial slope failure. That was due to the
16 introduction of the water on the near-surface getting
17 saturated by the prolonged heavy rain that reduced the
18 shear strength of the near-surface soil, creating
19 18-inch deep, you know, 1-foot wide of the slope,
20 migrating down to the slope. That's the case.

21 We're not talking about the entire slope
22 failure for this case. You're talking two different
23 things, okay?

24 BY MR. ALEXANDER:

25 Q So it's your opinion that it was the rain that

1 caused this surficial -- what is the word you're
2 using? Sorry.

3 A Surficial.

4 Q Surficial slope failure; correct?

5 A Yes.

6 Q Now, why would the rain cause the surficial
7 slope failure?

8 A I just -- I just told you. Due to the
9 introduction of the water by the rain, saturate the
10 near-surface soil. And that was not able to hold the
11 resistant force, as such, that the slope goes down due
12 to the, you know, condition.

13 Q So is it your opinion that at some point, the
14 slope at the surficial level got so soaked, that it
15 failed?

16 A Well, under the saturation that to the point
17 where the shear strength of the slope soil is too weak,
18 you know, without strength to resist the driving force
19 from the rainwater that caused the surficial slope
20 failure.

21 Q What is your opinion if the slope had been
22 presoaked --

23 A What?

24 Q -- prior to the rains? If the slope was
25 already saturated prior to the rains, how would your

1 opinion change, if at all, as to the cause of this
2 slope failure?

3 A It depends on the soil type of the slope.

4 Q I'm asking for this slope, not depends on the
5 soil type, this slope, which you have already
6 investigated and you know what the soil type is.

7 A I don't know what you're talking about a
8 presoak. There's nothing --

9 Q I'm asking you the question. It doesn't
10 matter why I'm asking it. What I'm asking you is: If
11 the soil was presoaked prior to the rain event you just
12 described, how would your opinion change, if at all?

13 MR. COMBS: Objection. Vague and ambiguous.
14 Incomplete hypothetical.

15 THE WITNESS: No change.

16 BY MR. ALEXANDER:

17 Q No change?

18 A Because the soil under this slope is created
19 material.

20 Q So it's your opinion it does not matter
21 whether or not the slope was saturated with water prior
22 to the rains. Is that your opinion?

23 A No, I tell you. You don't understand. What
24 I'm saying, the reason caused the slope failure is
25 because the soil strength becomes weak due to the water

1 introduction, water gets saturation. It doesn't matter
2 if presoaked or non-presoaked. As long as the strength
3 was not able to hold the resistance force, it's going to
4 cause the surficial slope failure.

5 Q At some point, if you're introducing rainwater
6 to a slope that had been irrigated prior to the rain
7 itself, would that affect the resistance force of the
8 slope?

9 A More or less. But it's not the case because
10 on every single slope, you have irrigation water and you
11 operate every day. You have rain that occur every day.
12 These condition is very common. And the slope is not
13 going to fail, okay?

14 Q So as you sit here --
15 (Crosstalk.)

16 A As long as --
17 (Crosstalk.)

18 A Wait --

19 Q No, no, no. I'm going to ask my question. As
20 you sit here today, do you know whether or not water was
21 introduced by the HOA at the slope at 139 prior to the
22 rain events that you opine caused the failure of the
23 slope?

24 MR. COMBS: Objection. Vague and ambiguous as to
25 time.

1 THE WITNESS: All I know is that there was
2 irrigation sprinkler pipe risers was installed on the
3 slope that was operate, you know, on the daily basis. I
4 don't know whether that operation, irrigation system,
5 was on or off prior to or after or during the -- that
6 2022 or '23. But I know there was irrigation water.

7 But that irrigation water has been doing --
8 their work even, you know, for the long time, okay?
9 There's no slope failure, is my understanding, from
10 Mr. Combs. No slope failure occurred within the Las
11 Brisas Pacificas HOA community.

12 BY MR. ALEXANDER:

13 Q Let's unpack that starting with your comment
14 that this is based off of your understanding by
15 Mr. Combs; correct?

16 A Well, yes.

17 Q Do you have an opinion as to what the
18 condition of the irrigation system was at 139
19 immediately prior to the rain events during the 2022 or
20 2023 rain season?

21 A I don't know the irrigation activities or
22 schedule for the slope irrigation, no.

23 Q Are you aware of any sprinkler heads that
24 might have been broken leading up to that rain event on
25 slope 139?

1 A Well, based on the plaintiff's complaint, she
2 said there were two times, broken irrigation pipe, that
3 was complained to the HOA, but HOA did inspections.
4 There's no sprinkler broken at all. So that --

5 Q So you're basing that it on the initial
6 complaint; correct?

7 A Well, initial complaint is -- Ms. Mann says
8 there are two times, broken pipe, irrigation pipe. She
9 notified the HOA, you know, to fix it. And then the
10 HOA, you know, at the time that -- when the slope
11 failure occurred -- after the slope failure occurred,
12 they checked whether that was caused by the irrigation
13 broken pipe. There was none of the pipe was broken. It
14 was functioning very well.

15 Q Would you agree with me that the condition of
16 the irrigation pipes after the slope failure is
17 irrelevant?

18 A Well, it is relevant because they never
19 changed their activities before or after the irrigation.
20 But I don't know their schedule or anything. But our
21 understanding is that it didn't make any changes to the
22 irrigation, okay? If there's any broken pipe, it should
23 steady so, you know, and the response to the
24 plaintiff's, that, okay, they did find the broken pipe.
25 They fixed it. But I don't see any evidence that tells

1 me that the irrigation pipe was broken.

2 Q How, if at all, is the driving force or
3 resistance force of this slope affected, but
4 introduction of water at the toe of the slope?

5 MR. COMBS: Objection. Incomplete hypothetical.

6 THE WITNESS: Introduction of water at the toe
7 slope is the same thing as the introduction of water
8 over the slope. The same thing. There's no difference.

9 BY MR. ALEXANDER:

10 Q It's not desirable, though, is it?

11 A What do you mean "it's not desirable"? As
12 long as you have good drainage inlet that can collect
13 water, there's no issue.

14 Q What if the inlet is not good? What kind of
15 issues would you expect?

16 A Well, in 2019, the previous owner indicates
17 that the surface water caused mud coming out over the
18 retaining wall, caused damage, you know, the water
19 sprayed over to their backyard. That is the resulting
20 issues.

21 Q Let's turn our attention to section 4.0 of
22 your report.

23 A Okay.

24 Q Conclusions and discussions.

25 A Okay.

1 Q And you write, It is our opinion that the
2 subject surficial slope failure occurred that on or
3 about April 27, 2023, at the rear of the Plaintiff,
4 Mann's property, unit 139, is primarily as a result of
5 surface and subsurface soil on the slope being saturated
6 by a series of prolonged rain events between October '22
7 and April '23 rainy season, which, in turn, reduced the
8 shear strength of the slope soil and caused the
9 surficial slope failure.

10 As you sit here today, do you still agree with
11 that conclusion?

12 A Yes.

13 Q Has anything that we have discussed changed
14 your opinion about this conclusion?

15 A No.

16 Q Why do you write "primarily"?

17 A Well, that's primarily, yes. That's the main
18 contributing factors caused the surficial slope failure.

19 Q Doesn't that suggest that there are other
20 contributing factors?

21 A Not really.

22 Q When you say primarily as a result, primarily
23 does not mean the sole cause; correct?

24 A Well, like I said, we talk about the possible
25 source of the water from the irrigation water, from the

1 rainwater, from the other water, whatever; right? But
2 the main cause of the contributing factor to cause the
3 surficial slope failure would be the prolonged heavy
4 rain introduced more than -- no more amount of the
5 rainwater goes into the slope due to saturate near the
6 surface soil.

7 And that caused the, you know, reduced shear
8 strength of the near-surface soil. And such, that
9 caused surficial slope failure to occur.

10 Q But you're not taking the position that that
11 was the sole cause of the soil failure; correct?

12 A No -- correct. That's why I said primary.
13 And there could be other minor contributing factors, but
14 that's not the reason to cause the surficial slope
15 failure, okay? With --

16 Q What -- let me stop you right there. What
17 other minor contributing factors would contribute to the
18 slope failure?

19 A There's none, basically. We talk about the
20 source of the water already from irrigation, from, you
21 know, in other water or whatever, you know, those
22 things, okay? But where is the source of water coming
23 from? It's from the rain, okay, prolonged heavy rain
24 that we talk about in detail, go through the surface of
25 the soil, migrate down into the subsurface and go

1 through the bedrock contact, migrating the perched
2 groundwater from the top of our slope to the bottom of
3 our slope, and that caused the slope failure. Because
4 the slope cannot resist that amount of saturation.

5 Q And that's why the French drain existed, to
6 take that water away; correct?

7 A Well, that's part of their purpose to carry
8 water away.

9 Q Okay. Now, Dr. Huang, would it be a
10 mischaracterization of your opinions if somebody were to
11 take this conclusion that you drew here that this was
12 the primary reason for a slope failure, but there may be
13 others, more minor ones as we discussed?

14 Would it be a misrepresentation of your
15 opinion if somebody took your report and stated that the
16 sole cause of the slope failure was the rain?

17 A I don't believe so.

18 Q We're accounting for other contributing
19 factors; correct? You didn't write sole purpose
20 correct? You wrote primary.

21 A Well, as we talk about so many sources of
22 water, that is, you know, irrigation, right, other
23 rainwater. What would be the primary source of water
24 that could contribute to the slope failure? If -- take
25 it out, the rainwater, heavy rain, just with other

1 contributing factors that make -- I mean, the source of
2 the water that goes to the slope. It's not going to
3 fail. This slope has been there for how many years,
4 since 1989. No failure to occur. This is two-to-one
5 slope. Typically, two-to-one slope is much stable
6 compared to the 1.5-to-one slope, okay?

7 And also, we are talking about it's a
8 near-surface slope failure. We're not talking about the
9 deep-seated global unstable of the slope. We're talking
10 about two different things, okay?

11 Q Other than source of water destabilizing the
12 slope, what other conditions -- you identified earlier
13 vegetation; correct?

14 A Well, yeah, yeah.

15 One other thing, when I went to the site, I
16 saw the other slope was fully vegetated, except the
17 repair areas where there was the erosion control grid
18 was installed. But no vegetation was planted by the
19 plaintiff because that is her responsibility to install
20 the vegetation. And there was --

21 Q Well, let me pause you right there.

22 A Wait, wait, wait.

23 Q No, no, no.

24 A I haven't finished yet.

25 Q No. I'm going to pause you right there.

1 You just stated that it's the plaintiff's
2 responsibility to plant vegetation. What do you base
3 that on?

4 A Because this is common slope. This is not HOA
5 common slope.

6 Q Are you prepared to offer a legal opinion as
7 to -- strike that.

8 Are you prepared to offer an opinion as to the
9 ownership responsibilities at trial?

10 A No.

11 Q Are you retracting your statement, then, that
12 the homeowner is responsible for planting the slope at
13 139?

14 A But that was the information that I received
15 from the cross-complainant's claims. That was --

16 Q How does that inform your decision or your
17 opinions as to the causation of the slope failure?

18 A Nothing. That was after the slope repair, no
19 vegetation was covered. It would have a potential risk
20 when the -- again, another prolonged heavy rain
21 occurred, you know, that could introduce more water,
22 even though there was -- erosion control grid was
23 installed. But, again, they still have a potential risk
24 to cause the surficial slope failure without full
25 vegetation.

1 Q But you're not offering an opinion as to who's
2 responsible for that planting the vegetation; correct?

3 A I don't care who is responsible. But from
4 geotechnical standpoint, it would be good advice to
5 whoever responsible to install the vegetation cover to
6 ensure that the slope was fully covered by the
7 vegetation to minimize the surficial slope failure again
8 during the prolonged heavy rain in the future.

9 Q Let's turn to page 16 of your report.

10 A Which one, 16?

11 Q Yes. We're looking at 2019 French drain
12 installation.

13 A Okay.

14 Q You write, With regard to the adequacy of
15 using a perforated drainage pipe instead of a solid pipe
16 for the 2-foot deep French drain system installed behind
17 the retaining wall at the rear of unit 139 in or around
18 2019, it is our opinion that the installed 2-foot deep
19 French drain system utilizing a perforated pipe instead
20 of a solid pipe is appropriate and actually is
21 beneficial to the homeowner.

22 Do you see that language?

23 A Yes.

24 Q So now you're changing it from 3 to 5 feet to
25 2 feet; correct?

1 A Well, doesn't matter 2 feet or 3 feet or
2 5 feet. The bedrock contact, according to GeoTek, 2 to
3 5 feet. According to the Green Horizons, the contract
4 says it is supposed to install up to 3 feet. But I
5 mention here 2 foot. It doesn't matter whether it's
6 2 feet, 3 feet, or whatever. I'm not changing my
7 opinion at all.

8 Q The next statement that you write is, It
9 should be noted that the use of perforated drainage pipe
10 was to intercept or collect any perched groundwater
11 migrating downward along the bedrock contact, which is
12 about 2 to 5 feet below the slope surface, from the
13 upper slope area toward the back of the retaining wall.

14 Do you see that language?

15 A Yeah, we discussed this in detail.

16 Q What do you base that conclusion that this was
17 the purpose of the installation of the French drain?

18 A Based on my preview of the GeoTek, the
19 subsurface investigation, the bedrock contact, and the
20 installation of the French drain, which perforated the
21 pipe by Green Horizons.

22 Q Let's turn to GeoTek's July 7 report.

23 A Okay.

24 Q Let me ask you: Did you review this report in
25 preparation for offering your opinions at trial?

1 A Yes.

2 Q Let's go to page 4 of the report.

3 A Okay.

4 Q Section 4.3.1.

5 A 4.3.1, okay.

6 Q Perched groundwater was observed at the
7 geologic contact of the engineered fill overlying the
8 Santiago Formation in excavation TP-2.

9 A Yeah.

10 Q What is TP-2?

11 A Test pit number 2 with the limit of the slope
12 failure area. If you can see there a map, it should be
13 in -- later on the report, there's a map showing where
14 is the TP-2 located.

15 Q Okay. Where is that location?

16 A Huh?

17 Q Where is test pit 2 located? What map are --

18 A Look at figure 2 of the GeoTek report before
19 the heading, Appendix A. There is an aerial view map
20 that shows the TP-2 within the limit of the landslide or
21 surficial slope failure.

22 Do you see the figure 2?

23 Q What page of the report are you referring to?
24 Just frame of reference.

25 A It's just before Appendix A.

1 Q Is this what you're referring to when you're
2 looking --

3 A Yeah, yeah, yeah.

4 Q Okay. What is test pit 2?

5 A You can see that T-2?

6 Q What is the dotted red line that runs just
7 beneath T-3?

8 A Dotted red line like oval, that one, with the
9 arrow going down? That is the limit of the slope
10 failure.

11 Q I'm talking about the dotted one.

12 A Oh, oh, oh.

13 Q There is a boundary of a dotted red line.

14 A I don't know whether they have an explanation.
15 That's the -- it looks like.

16 THE COURT REPORTER: I'm sorry, the what?

17 THE WITNESS: Cut-fill line.

18 BY MR. ALEXANDER:

19 Q And what is a cut-fill line?

20 A That's during the grading as GeoTek discussed.
21 That area was transitioned from the cut slope to the
22 fill slope under the slope. On Lot 139, there was the
23 fill slope overlying the -- overlaying the Santiago
24 Formation, as discussed in their report. So you can see
25 there the box with an arrow pointing to that line. So

1 that area, the highlighted aerial with the dashed line,
2 is the slope areas. But the text box saying cut fill
3 line, pointing to that area, indicates where is the cut,
4 where is your fill.

5 If you see "AF," that is the fill, okay? If
6 you see that "Tsa," that is Santiago Formation, so that
7 was the -- is underlying the formations. And you see
8 the "QLA," that is the surficial slope failure. And
9 with that red line boundary limit with the red arrow,
10 that shows the -- where that surficial slope failure
11 occurred, okay? And they have --

12 Q Where it says "Qls"; correct?

13 A Qls, landslide debris.

14 Q Okay. Where in relation to the retaining wall
15 that we've been discussing all day is test pit 2?

16 A Well, I think it's -- test pit is above the
17 retaining wall. You can see that the backyard house,
18 okay, the slope, there was the transition angled; right?
19 So you know that to the back right corner of the house,
20 that was turned into the slope and coming back. That is
21 the toe of the slope and the retaining wall is right
22 along that white line. That white line is actually,
23 like, a white fence or lattice that was installed on the
24 top of the slope -- I mean toe of the slope.

25 Q The top of the slope or the head of the slope

1 would be T-1; correct?

2 A T-1? No, no, no. The top of the slope is
3 further up to the lot 128.

4 Q Oh, okay, okay. So T-1 is about halfway?

5 A Well, it's the upper portion of the slope
6 failure.

7 Q Got it.

8 Okay. So let's refocus on T-2. It is within
9 the slope failure boundary; correct?

10 A Yeah.

11 Q And it is within the boundary of the retaining
12 wall; correct?

13 A No, it's behind the retaining wall.

14 Q Oh, it's right behind the retaining wall,
15 okay. I now understand.

16 A Yeah, nothing to do with the retaining wall.
17 Retaining wall is intact. There is no failure, nothing.

18 Q Okay. But test pit 2 is at the toe of the
19 slope failure. And --

20 (Crosstalk.)

21 A The bottom --

22 Q -- the retaining wall; correct?

23 A Yeah, basically, you can call it at the toe of
24 the slope failure area within the limit of the slope
25 area, okay? They may have debris, something, that was

1 flowed down to pile up to behind the retaining wall.

2 But that is not the limit of the slope failure, okay?

3 Q I understand.

4 A Okay.

5 Q The limit is a little broad; correct?

6 A Yeah, yeah, yeah.

7 Q Okay. So let's go back to -- thank you for
8 that clarification.

9 A No problem.

10 Q It's helpful.

11 Let's go back to 4.3.1.

12 MR. COMBS: Okay. Counsel, before you go on,
13 Ms. Mann paid for three hours of deposition testimony.
14 We're now at four and a half hours.

15 How do you propose to pay for the extra time?

16 MR. ALEXANDER: Counsel, we've already gone over
17 this. You're going to get paid. She could pay you
18 directly as well.

19 Are you really raising an issue of whether or
20 not Dr. Huang is going to be paid today?

21 MR. COMBS: I absolutely am.

22 MR. ALEXANDER: Have we given you a reason not do
23 to that?

24 MR. COMBS: Yes, you have.

25 MR. ALEXANDER: What reason is that?

1 MR. COMBS: You didn't come up with the money when
2 you were supposed to per Code, so why do I believe --

3 MR. ALEXANDER: Did we pay you before the
4 deposition started, Mr. Combs?

5 MR. COMBS: Yes, because I required you to do so.

6 MR. ALEXANDER: Yeah, and we did so; correct?

7 MR. COMBS: Okay. So how do you propose -- I'm
8 just asking you. How do you propose to pay for it? Do
9 you want to just pay at the end today?

10 MR. ALEXANDER: That was the original proposal, as
11 well. And this is the short-sightedness that I pointed
12 out to you when you were raising a fuss before the
13 deposition started. We don't know exactly how long the
14 deposition is going to last. That's why these
15 depositions are always paid after, Mr. Combs.

16 MR. COMBS: No, that's --

17 MR. ALEXANDER: When we all agree how much of
18 deposition testimony time is taken up. That's the
19 practical reality of it.

20 MR. COMBS: That's not --

21 MR. ALEXANDER: Dr. Huang is going to get paid
22 today. He's going to be paid, I would imagine, in the
23 same fashion by sending a Venmo to your personal
24 account.

25 MR. COMBS: Okay. So we can commence --

1 MR. ALEXANDER: And I'm not going to pay for this
2 time to meet and confer on this ridiculous stance that
3 you're taking right now.

4 MR. COMBS: Oh, you mean insistence upon that the
5 lobby followed? I know. That's always for you an
6 unprofessional position. But what I'm asking --

7 MR. ALEXANDER: You can try --

8 MR. COMBS: Hold on. Will Jill Mann be sending me
9 a Venmo at the end of this for the balance of the time
10 spent?

11 MR. ALEXANDER: Yes.

12 MR. COMBS: And we can deduct .1 for --

13 MR. ALEXANDER: As I have indicated to you already,
14 Mr. Combs --

15 MR. COMBS: You never indicated.

16 MR. ALEXANDER: -- you have your answer. You have
17 your answer.

18 MR. COMBS: So what is the answer?

19 MR. ALEXANDER: You have your answer. Pay
20 attention to what I'm saying.

21 MR. COMBS: Okay. Go ahead and tell me what the
22 plan is.

23 MR. ALEXANDER: You have your answer, Mr. Combs.

24 MR. COMBS: No, I don't. Explain to me what's
25 going to happen.

1 MR. ALEXANDER: Ms. Butelo, I'm so sorry, can you
2 read back the transcript because we're on the record on
3 this and point out to him that I said yes?

4 (Record read.)

5 MR. ALEXANDER: Answer yes, Mr. Combs. Can we
6 continue with this deposition?

7 MR. COMBS: Yes, you can.

8 BY MR. ALEXANDER:

9 Q Let's go back to section 4.3.1.

10 A Okay.

11 Q So what we have here is the perched
12 groundwater at TP-2; correct?

13 A Yes.

14 Q That means that there was water at that test
15 pit which is within the slope failure area and behind
16 the retaining wall; correct?

17 A Yes. Well, it says that water was visible on
18 the factual faces of the claystone gravel. But GeoTek
19 did not see actual flowing or seeping water observed
20 during their test pit excavation.

21 Q Let's go to the next page, page 5. I'm not
22 going to read the whole thing here, but GeoTek observes
23 that the mode of slope failure appears to be a
24 rotational slump based on the eastern edge of the
25 failure expressing movement, the bulged toe and the lack

1 of visible movement along the western portion of the
2 failure.

3 Do you see that languages?

4 A Yes.

5 Q What is the bulged toe here?

6 A Means the soil was -- down and caused the
7 bulging at the toe.

8 Q They continue, Cause of failure appears to
9 have been from saturation of the engineered fill in
10 combination with the claystone geometry of the fill over
11 cut-slope condition.

12 Do you see that?

13 A Yeah.

14 Q Did they opine in that sentence that this was
15 caused by rain?

16 A Well, it says it's due to the saturation of
17 the soil. But it didn't mention.

18 Q Did it identify the source of the water,
19 Dr. Huang?

20 A No.

21 Q In fact, two sentences down -- well, let's
22 just continue reading. Increased moisture content adds
23 weight to the fill, and the claystone contact probably
24 also contributed to the slope failure.

25 Do you see that language?

1 A Yes.

2 Q Did they identify the source of the water --

3 A No.

4 Q -- Dr. Huang?

5 A No.

6 Q In fact, the next sentence reads, Source of
7 the water was not clearly evident during the
8 investigation and is typically difficult to identify or
9 locate.

10 That was their conclusion; correct?

11 A Not conclusion; their opinion.

12 Q That's their observation and their opinion;
13 correct?

14 A Well, they saw the saturation. They just
15 didn't opine water would be the possible source of the
16 water. But they did talk about -- read. Read further
17 down. You will see --

18 Q Let's read it further down. The next
19 sentence, It should be noted that the rain season of
20 2022/2023 was approximately 170 to 180 of a normal
21 season; correct?

22 A Yes. So they implied that the possible source
23 of the water is coming from the normal rainwater that
24 occurred in the 2022/2023, which is consistent with my
25 opinion.

1 Q Where do they use the language that there's an
2 implication that this was the source of water?

3 A Well, they mentioned it. Point it out to you.
4 It should be noted, okay, that didn't -- specifically
5 says that the source of water of the saturation they
6 observed is because of the -- is from the rain. But
7 they tell you, you need to know that the during this
8 period of time, the rainwater is normal. It's more than
9 170 to 180 percent of the normal range.

10 Q So you relied on this notation in performing
11 your investigation and making your conclusions that you
12 prepared -- in formulating your opinions for trial;
13 correct?

14 A Part of it.

15 Q What part did you not rely on?

16 A I rely on this information. Also, relied on
17 my evaluation of rainfall records that provided by
18 Mr. Combs' office. And they are all consistent,
19 indicates that the tremendous amount of the water during
20 that 2022/2023 timeframe, that amount of heavy rain is
21 the cause of the water -- the source of the water
22 contribute to the surficial slope failure behind Lot
23 139.

24 Q Let's take a look at GeoTek's as-graded report
25 dated May 23. This is also in your job file and you

1 relied on this report; correct?

2 A This is -- this is as-graded, yeah. This is
3 after the slope was repaired, yes.

4 Q Let's go down -- let's go to page 3.

5 A Page 3, okay.

6 Q I'm looking at section 3, conclusions and
7 recommendations.

8 A Okay.

9 Q GeoTek reflects, Based on conversations with
10 the homeowners association board, the existing drainpipe
11 was installed and directly connected to the area drains
12 along the top of the existing retaining wall.

13 Do you see that language?

14 A Yes.

15 Q Do you disagree with the condition that
16 they're describing?

17 A Not really.

18 Q I'm sorry?

19 A Not really.

20 Q Well, that suggests there's a portion you
21 might be disagreeing with. The question is yes or no.
22 Do you disagree with the --

23 A Can you repeat your question again?

24 Q Sure. Do you disagree with the condition that
25 they're describing here?

1 A Are you talking about the -- which paragraph
2 we're looking at in the conclusion?

3 Q The one I just read, Dr. Huang.

4 A The connection of the drains? Let me see.
5 Well, the only thing I would say is the drain was for
6 the intention to collect water to discharge away from
7 the site.

8 Q Okay. So the intent of the French drain is
9 consistent with what you have testified up until now;
10 correct? And I'm going to read that intent from
11 GeoTek's as-graded report. The intent of the drains was
12 set to collect surface water shedding from the slope
13 face and transporting it away to reduce the potential
14 for the surface water to flow over the existing
15 retaining wall.

16 Do you see that language?

17 A Yeah. But what they're saying is the drain
18 was there to collect surface water. Yeah, that's what
19 I'm saying. The French drain was to serve to collect
20 the surface water and the subsurface water.

21 Q Yeah. And what is it -- well, never mind.
22 You've already testified ad nauseam about this.

23 Then they write, However, as the system is
24 currently constructed with perforated drainpipe, surface
25 water that is collected at the area drains is being

1 introduced into the subsurface directly behind the
2 existing retaining wall. Do you see that language?

3 A I saw that, yeah.

4 Q Do you have any criticism with an area drain,
5 a French drain, reintroducing water that's supposed to
6 be carried away, away from the slope back into the toe
7 of the slope?

8 A Well, not back into the toe of the slope.
9 What I'm saying is that this French drain system will
10 collect both surface and the subsurface water from the
11 bedrock contact to go into through the perforated hole.
12 And the water could be transported based on the drainage
13 gradient of the pipe to discharge away from the --
14 behind the retaining wall.

15 But yes, this is possible there was some water
16 that may continue to migrate down was not intercepted by
17 the -- I mean, perforated the French drain, as such, it
18 will go down along the back of the retaining wall to the
19 bottom of the retaining wall. However, this retaining
20 wall --

21 Q Let me pause you right there. Let me pause
22 you right there.

23 That is not what this report is saying, is it,
24 Dr. Huang?

25 A It is.

1 Q What the report is saying is that as the
2 system is currently constructed, meaning as of the date
3 of the failure, with perforated drainpipe, surface water
4 that's collected, meaning the French drain; correct?

5 A Well, they only says that it was the
6 possibility that the surface water, if not collected, by
7 the --

8 Q Where does it say it is a possibility,
9 Dr. Huang?

10 A That's my review of their -- this sentence.

11 Q Point to me the language that says there's a
12 possibility.

13 A Well, this is -- okay. However, as the system
14 is currently constructed with perforated drainpipe,
15 surface water that is collected in an area designed is
16 being introduced into the subsurface directly behind the
17 existing retaining wall. That is the same thing that I
18 said that water could be continued to migrate down
19 behind the retaining walled go to the --

20 Q Mr. Huang, I'm going stop you right there
21 again.

22 What GeoTek is observing here is that water
23 collected by the French drain is being introduced into
24 the subsurface; correct?

25 A I tell you so many times. You just trying

1 to --

2 Q Answer my question, please.

3 Is that correct? Is that what GeoTek has
4 observed on-site on May 23, 2024?

5 A What it states in this sentence here.

6 Q So this is not a possibility; correct?

7 A I didn't say it's not a possibility. I said
8 this -- whatever --

9 Q They're not saying that this is possible.
10 What they're saying is, this is what is happening. This
11 water is supposed to be carried away, as you testified,
12 by the French drain --

13 A But that is not true.

14 Q -- is actually being reintroduced into the
15 subsurface, isn't that right?

16 A No, that's not right. Because as I explained
17 to you, part of the water from the surface and
18 subsurface water coming to the toe of the slope would be
19 intercepted by the French drain. You never mentioned
20 that, okay? It just --

21 Q Dr. Huang, where --

22 MR. COMBS: You are interrupting him. Stop
23 interrupting him.

24 THE COURT REPORTER: You guys are both doing it.

25 MR. ALEXANDER: If he starts answering questions

1 that I'm asking, I'm going to stop interrupting him.

2 MR. COMBS: Then make an objection.

3 BY MR. ALEXANDER:

4 Q My question is: Where is the French drain
5 located, Dr. Huang?

6 A Behind the retaining wall.

7 Q And what is this French drain doing in May of
8 2024 and backwards? What was it doing with all the
9 water that it was supposed to capture and carry away?

10 A Yeah, intercept any water that it could
11 collect it by, you know, the French drain through the
12 perforated hole.

13 Q Okay. So it's intercepting the water. Where
14 is that water going, according to this report,
15 Dr. Huang? You have the Ph.D. You could read a
16 sentence.

17 A The water will be partially go through the
18 pipe to the dischargeable drainage facilities.
19 Partially --

20 Q Is that what this report says, Dr. Huang, that
21 it's going out the pipe or is it saying that it's being
22 introduced back --

23 A It didn't say. It didn't say did.

24 Q It does not say?

25 (Crosstalk.)

1 A -- the purpose of the French drain.

2 THE COURT REPORTER: I need both one at a time,
3 please.

4 MR. ALEXANDER: Yes, I apologize, Ms. Butelo.

5 BY MR. ALEXANDER:

6 Q Once the installed French drain by the HOA in
7 2019 at the toe of the slope behind the retaining wall,
8 once it was installed, it was capturing the water;
9 correct?

10 A Yeah.

11 Q Where was that water introduced?

12 A Introduced? What do you mean? When you
13 source the water --

14 Q Where is the water that was collected by the
15 French drain outlet, Dr. Huang?

16 A It goes to the dischargeable drainage or go
17 down, another will pick it up by the French drain, will
18 go down to the retaining wall through the other pipe.
19 And also, the discharge point was verified by the HOA
20 that they run the water testing, indicates water will
21 flow freely out of the other pipe located on the
22 southwest corner of the backyard that you can see the
23 water in the photos. Also, water through the bottom of
24 the other drain.

25 Q What was the date when the HOA cleared out the

1 drains, Dr. Huang?

2 A I believe 2023.

3 Q But it didn't do a good enough job, did they,
4 Dr. Huang? Because on May 23, 2024 -- and this is the
5 date of the report, by the way. This is not the
6 original date of observations.

7 What GeoTek is concluding in its findings is
8 that the water that the French drain that was supposed
9 to collect was not going out, as you so vehemently
10 insist on, but it was actually being introduced into the
11 subsurface.

12 Where is that subsurface, Dr. Huang?

13 A Go down to the bottom of the --

14 Q No, no, answer my question.

15 Where is that subsurface? What is the
16 location of the subsurface being described here?

17 A How do I know? That's the GeoTek's report.
18 It's not my report.

19 Q This is going to be a good trial.

20 So you don't know, do you?

21 A I mean, that's their description.

22 Q You don't know, do you?

23 MR. COMBS: Stop interrupting him.

24 THE WITNESS: That is not my report. I don't know
25 what their intention to discuss to say that sentence.

1 BY MR. ALEXANDER:

2 Q Were you there to observe the as-graded
3 conditions?

4 A No.

5 Q So you have to rely on this report; correct?
6 As you have been testifying, you have relied on GeoTek's
7 report the entire day, correct, Dr. Huang?

8 A Well, for the grading condition, yes.

9 Q But you're not relying on the observation that
10 water that was carried by the French drain that was
11 installed by the association at my client's slope was
12 actually being reintroduced into the slope?

13 MR. COMBS: Objection. Misstates -- it misstates
14 what that statement says.

15 THE WITNESS: Exactly.

16 MR. COMBS: It says "being introduced." It doesn't
17 say being reintroduced, as counsel has consistently
18 stated. It says "being introduced."

19 THE WITNESS: Yeah.

20 BY MR. ALEXANDER:

21 Q Okay. What does being introduced into the
22 subsurface mean to you, Dr. Huang?

23 A It's just -- like I say, not the entire of the
24 surface and the subsurface water flow down to the bottom
25 of the slope. That could be intercepted by the French

1 drain system. There will be -- the water, perched
2 groundwater, continued to migrate down along the back of
3 the retaining wall to the bottom of the wall. And they
4 will be drained out through the weep hole or the pipe
5 hole.

6 And also, to the -- through the discharged
7 point, which was collected water by the French drain, go
8 down to the southwest corner of the plaintiff's
9 backyard. That's very apparent.

10 Q That's not what --

11 A What?

12 Q That's not what was happening; correct?

13 A What do you mean? It's what happened.

14 Q Where in GeoTek's report -- where in any
15 GeoTek report -- where in any expert report or any --
16 where in anywhere is there a statement that the
17 condition of the French drain at the time of the slope
18 failure was that it was functioning as intended and
19 water was actually coming out away from the slope?

20 A Well, it was verified by the HOA, as I told
21 you so many times.

22 Q At what time, Dr. Huang?

23 A I don't recall right now. But I believe it's
24 somewhere -- sometime in 2023, I believe. I have to
25 look into the photos, everything, to find out exactly

1 the date.

2 Q You don't know the date, do you, Dr. Huang?

3 A I know the date, but just not at this moment.

4 Q And you don't know who from the HOA did that
5 investigation?

6 A No, you've got to read that document. I will
7 know who did write that, you know, whatever information.

8 Q As you sit here today, you have no idea, do
9 you, Dr. Huang?

10 A I just can't recollect that information. But
11 I know there is -- it do exist, that you just -- doing
12 the search to find out where that information is coming
13 from. I can spend my time, if you want to pay me, I'll
14 go through my file to see whether I can find.

15 Q You're supposed to show up prepared to this
16 deposition, Dr. Huang.

17 A I did prepare. I did prepare to answer all
18 your questions, which is what I'm doing right now. And
19 you just continually reject my answers because not to
20 your satisfaction. It's not professional.

21 Q Did you go back and review what Code was
22 applicable during our lunch break during the 1987 slope
23 installation?

24 A No, I don't have a grading print. How am I
25 going to know what Code was applied?

1 Q Did you review what section of the potential
2 applicable Code --

3 A No.

4 Q -- applied here?

5 A No. It's only 30 minutes. I don't have
6 time. But I do find out the information you asked me to
7 look for on that.

8 Q It's going to be too late because there's
9 something called People versus Kennemur, and I'm asking
10 you for your full opinions today. This is your
11 opportunity to provide me with your opinions before
12 trial, Dr. Huang. And as somebody who has been retained
13 as an expert before, you should know this.

14 Any opinion that you do not provide me with
15 today that you intend to introduce later at trial is
16 going to be excluded.

17 A I know.

18 Q So give me all of your opinions right now.

19 A I told you my opinion. The source of the
20 water is coming from prolonged heavy rain, caused the
21 weak soil to cause surficial slope failure. You keeping
22 ask me other things here and there.

23 Q Is it your professional opinion as a retained
24 civil engineer that the -- sorry -- strike that.

25 Is it your opinion, as a civil engineer, that

1 the introduction of water that was actively collected by
2 the HOA through its drain was being introduced into the
3 subsurface had no impact on the slope stability?

4 A Well, that was collected. Whatever the water
5 collected by the French drains was served as function
6 to, you know, allow the water to drain out of the site.

7 Q Earlier, you testified as to the paths of
8 water. And you identified water going through the
9 slope, some of it's going to be absorbed, some of it is
10 going to make it to the bedrock and be collected by the
11 French drain; is that correct?

12 A Yeah.

13 Q And some of the water is going to be
14 gravitationally pulled on the surface of the slope and
15 collected by the French drain; correct?

16 A Yeah.

17 Q If all of that water is collected by the
18 French drain and the French drain is introducing it back
19 into the subsurface, does that affect your opinion as to
20 the contributing factor of the slope stability?

21 MR. COMBS: Objection. Counsel, continues to
22 misrepresent.

23 MR. ALEXANDER: He's testifying, Combs.

24 MR. COMBS: That you --

25 MR. ALEXANDER: He's testifying. You're doing a

1 speaking objection. You're doing a speaking objection
2 to coach him.

3 THE WITNESS: No.

4 MR. ALEXANDER: I have asked a new question and I'm
5 waiting for his response. If you say that it's vague
6 and ambiguous, so forth, those are appropriate
7 objections. But I'm not going to allow you to coach
8 your expert, who, black and white, is not admitting that
9 all the water that was collected by the French drain,
10 which is the entirety of the water on the slope, was
11 being introduced into the subsurface at the toe of the
12 slope at the exact same spot where TP-2 was observed to
13 have elevated moisture, which is within the area of the
14 slope failure and right behind the retaining wall, which
15 happens to be the French drain.

16 MR. COMBS: Okay. Now, you are purporting to
17 testify, Counsel. I object to your question as
18 misrepresentative of what the GeoTek as-graded report
19 says.

20 You may continue.

21 THE WITNESS: The TP-2 is within the limit of
22 landslide, which is behind the French drain. It has
23 nothing to do with the French drain, okay? You're not
24 connecting the French drain that says the failure is
25 right at the French drain because the failure that the

1 French drain collect water, causing the saturation
2 caused the surficial slope failure, is not what I'm
3 talking about, okay?

4 What I'm talking about --

5 BY MR. ALEXANDER:

6 Q You're talking about hypotheticals, correct,
7 where the water could go? But what you --

8 A Not hypotheticals.

9 (Crosstalk.)

10 THE COURT REPORTER: Wait, wait.

11 THE WITNESS: Not a hypothetical. It's the fact
12 that when water flow from the upper slope down, it will
13 flow to both surface and the subsurface, okay? All this
14 water flowed down to the French drain, will be either
15 collected by the French drain to discharge to the
16 southwest corner of the backyard, or continue to migrate
17 down to the bottom of the retaining wall, which has the
18 serious of the big of the pipes along the base of the
19 retaining walled to discharge water.

20 MR. ALEXANDER: What was the condition --

21 THE WITNESS: There's no -- wait. There is no way
22 to cause the hydrostatic buildup behind the wall because
23 the slope failure or even the retaining wall failure.
24 There is no such condition occurred whatsoever. Period.

25 / / /

1 BY MR. ALEXANDER:

2 Q What was the condition of those drains you
3 just described?

4 A What condition?

5 Q At the time of the slope failure?

6 A Performing good, I think.

7 Q You're speculating; right?

8 A Well, there's --

9 Q You're assuming they were performing good,
10 correct, Dr. Huang?

11 A I'm not.

12 Q You have no evidence that they were performing
13 well, correct, Dr. Huang?

14 A No, I'm not assuming. I'm not assuming. It
15 was --

16 Q What do you base -- what do you base that
17 opinion on?

18 A Based on the French drain has been done since
19 2019 and there's no slope failure from 2019 to the --
20 before the major landslide -- I mean the subject
21 surficial slope failure occurred on or around April 27,
22 2023. There's no such conditions that tells me that the
23 French drain was not functioning well.

24 Q But you didn't read any of the deposition
25 transcripts -- well, you didn't read -- strike that.

1 You didn't read Mr. Katz' deposition
2 transcript; correct?

3 A No.

4 Q Where are the surface area drains connected
5 to; Dr. Huang?

6 A What?

7 Q Strike that.

8 Are there any surface area drains at slope
9 139?

10 A There's so many surface drains.

11 Are you talking about backyard surface drain
12 or the slope?

13 Q The slope.

14 A Huh?

15 Q The slope, Dr. Huang. The slope that is at
16 issue in this case.

17 A There was drainage inlet on the toe of the
18 slope behind the retaining wall with distance. And that
19 was a vertical pipe that were connected to the French
20 drain. And that all the surface water collected by
21 these drainage inlet would flow down to the French
22 drainpipe and drain away from the -- to the -- to the
23 discharge point.

24 Of course, there could be groundwater that may
25 continue to migrate down into the slope along the bottom

1 of the retaining wall to be discharged through the -- we
2 call it the weep hole or the piped, to go to the
3 backyard of the plaintiff's property.

4 Q Who connected those area drains to the French
5 drain?

6 A What?

7 Q Who connected --

8 A Who connected?

9 Q Yeah.

10 A Based on the photo I reviewed, the surface
11 drain was part of the French drain system.

12 Q Who made that connection of the surface area
13 drain to the French drain system? Who made that
14 connection?

15 A That's Green Horizons' proposal with the -- in
16 the photos that shows the drainage grate inlet was
17 connected by the vertical pipe tied into the French
18 drain. There's very apparent to me.

19 Q Let's go back to GeoTek's July 7, 2023,
20 report.

21 A Okay.

22 Q July 7, 2023. I'm looking at page 8.

23 A Page 8, okay.

24 Q Landscaping --

25 A Page 8?

1 Q Yes, section 5.3.1.

2 A Okay. Okay.

3 Q Saturated soils weakened and hence -- the soil
4 and slope stability is significantly reduced by overly
5 wet conditions.

6 You agree with that statement; correct?

7 A In general, yes.

8 Q Positive surface drainage away from graded
9 slopes should be maintained and only the amount of
10 irrigation necessary to sustain plant life should be
11 provided for planted slopes.

12 Do you see that?

13 A Yeah.

14 Q Do you agree with that?

15 A In general, yes.

16 Q Specifically, do you disagree with any portion
17 here?

18 A Well, this is just the -- kind of the device
19 from GeoTek to tell their client that you should be
20 aware of these conditions.

21 Q Controlling surface drainage runoff in
22 maintaining suitable vegetation cover can minimize
23 erosion.

24 Do you see that?

25 A Yeah. That's the reason that the -- with

1 the -- erosion control grid without vegetation at the
2 current condition is not a device.

3 Q Overwatering should be avoided; correct?

4 A Oh, yeah, you don't want to over -- or even
5 less irrigation cause the vegetation to die. That's
6 also not good.

7 Q And then it continues on to the next page, An
8 abatement program to control ground-burrowing rodents
9 should be implemented and maintained. This is critical
10 as burrowing rodents can decrease long-term performance
11 of slopes.

12 Do you see that?

13 A Yeah.

14 Q Are gophers burrowing rodents, Dr. Huang?

15 A I don't see at the time of my site inspection.

16 Q That's not my question. Are gophers burrowing
17 rodents?

18 A Burrowing -- I mean, there's gopher holes,
19 yes, that was on the slope always, as usual.

20 Q Your site inspection was last year in 2025;
21 correct?

22 A May 21.

23 Q So you have no idea whether or not there were
24 burrowing rodents and gopher holes on the slope prior to
25 its failure; correct?

1 A Well, I have to look at my site photo. But
2 based on my site inspection on that date, I don't see
3 the gopher holes anywhere here and there. But I do see
4 the uncovered vegetation, we think, the slope failure
5 areas.

6 Q We continue with the report section 5.3.2,
7 which provides the need to maintain proper surface
8 drainage and subsurface system cannot be overly
9 emphasized.

10 Do you agree with that language?

11 A Yes.

12 Q Positive side drainage should be maintained at
13 all times.

14 Do you agree with that language?

15 A Yes.

16 Q What does positive site drainage mean to you
17 as a civil engineer?

18 A Well, positive site drainage, if it's away
19 from the building, you need to have positive site
20 drainage away from the --

21 Q What building are you referring to?

22 A Any building.

23 Q Is this report about a building or a slope,
24 Dr. Huang?

25 A Slope.

1 Q So why are you referring to buildings?

2 A It's just natural. I mean, the positive
3 drainage, you need to keep away. For example, the
4 slope, you want to have positive drainage to be
5 collected and discharged away from the drainage
6 facilities, away from a slope area. The same thing like
7 a building or the backyard or anywhere, you do need to
8 have a positive drainage to avoid the pounding or the,
9 you know, drainage issues in the area.

10 Q Why is that important?

11 A Why is that important?

12 Q Yes.

13 A Oh, for the slope. You could introduce the
14 water to saturate the soil so you want to make sure that
15 the water can be -- drainage over the slope can be
16 controlled by the -- whatever designed or devices.

17 Q Would the opposite of positive site drainage
18 be negative site drainage?

19 A What do you mean "negative site drainage"?
20 Give me an example.

21 Q So what is the opposite of if you do not have
22 positive site drainage with water being taken away from
23 the slope, if you have a situation where the water is
24 being introduced into the subsurface, what would you
25 call that?

1 A Well, you have -- I mean, meaning that the
2 surface of the slope need to be in good or positive
3 drainage allows water from top of the slope to migrate
4 down to the bottom of the slope. That would be the
5 positive drainage. But if there's anything, for
6 example, subsidence or settling or something, the
7 irrigation, you know, that was in the slope that caused
8 the cracking that allows the water to introduce into the
9 slope. That would be the negative drainage.

10 Q That would be negative drainage; correct?

11 A If that condition occurred, it could be, yeah.

12 Q Okay. So let's eliminate the "if," and let's
13 go back to the as-graded plan.

14 We're back at page 3. Would you describe the
15 following sentence, which we have read a number of
16 times, as positive site drainage or negative site
17 drainage. And I quote from the report, However, as the
18 system is currently constructed with perforated
19 drainpipe, surface water that is collected in the area
20 drains is being introduced into the subsurface, directly
21 behind the existing retaining wall.

22 Is that positive or negative site drainage,
23 Dr. Huang?

24 A So this paragraph based on the conversation,
25 you're talking about this one, this paragraph?

1 Q It's not a conversation. I'm deposing you.

2 A I'm sorry?

3 Q I'm taking your deposition. We're not having
4 a friendly conversation here.

5 A Oh, okay.

6 Q I read to you specifically the language that I
7 asked you a very specific question.

8 Is that positive or negative site drainage,
9 Dr. Huang?

10 A It's not negative site drainage conditions.

11 Q Would you describe it as a positive site
12 drainage?

13 A Well, it's just site drainage conditions. Not
14 negative drainage, per se.

15 Q Is there any other site drainage that is not
16 positive or negative in your industry?

17 A I don't see these such conditions as to
18 negative draining, what you described.

19 Q So it's your opinion that GeoTek's report, and
20 the sentence that I read several times now regarding the
21 introduction of water collected by the French drain into
22 the subsurface, it is your opinion that that is not
23 negative site drainage?

24 A As it says in my report, it actually
25 benefit -- beneficial to the homeowner, or even HOA,

1 whatever, who owns the -- you know, is responsible for
2 the slope. It would be actually good, you know, to
3 collect subsurface groundwater conditions coming from --

4 Q And do what with it? It would be beneficial
5 to collect the subsurface water and do what with it?

6 A To collect the water.

7 Q And take it where?

8 A To the discharging point.

9 Q That's not the condition that we're
10 describing, though; correct? That collected water is
11 being introduced into the subsurface; correct?

12 A Well, that is what it says. It was
13 introduced. But like I say, the water goes --

14 Q So that would not be beneficial to the
15 homeowner; correct?

16 A It is beneficial. It is beneficial. You are
17 ignoring the benefit of the French drain collect the
18 water. You're trying to just emphasize there was, you
19 know, supplemental, minor water that continue to migrate
20 down to the bottom. That's fine. But that's also
21 collect, discharged to the backyard. What's wrong with
22 that?

23 Q This is going to be good at trial.

24 A That's good. Let's go to trial.

25 Q That is going to be so good.

1 A Yes.

2 Q Okay. Do you have an opinion as to whether or
3 not the current condition of the slope needs to be
4 repaired?

5 A No.

6 Q Are you offering a repair recommendation?

7 A Nope, not for the slope. But I did provide
8 some minor recommendations regarding the drainage, those
9 kind of things, in my report.

10 Q Where in your report is that?

11 A Open up my report. I'll tell you. Go to the
12 recommendation section 5.0.

13 Q What page?

14 A 17.

15 Q Okay.

16 A You can read the whole paragraph and, you
17 know, my recommendation.

18 Q Well, it's your testimony. It's what you're
19 prepared to offer at trial. So I want you to tell me
20 what your recommendation is.

21 A Well, my recommendation is that there's no
22 need to do the slope repair after the slope repair by
23 the Mountain Movers and the geogrid that was
24 installed -- was not originally recommended by the
25 GeoTek. But it was suggested by the Mountain Movers to

1 install the geogrid and the back drains on every bench
2 of the slope repair area. And to direct water to come
3 out to the slope area.

4 It's such -- the repair is more than needed
5 for the surficial slope failure, which they did a good
6 job. Since the repair in 2024, there's no issues,
7 whatsoever, was occurred. Even at the time of my site
8 inspection, the slope repair area was performing very
9 well, except that there was no ground cover vegetation
10 was installed by the plaintiff to -- over the soil to
11 prevent possible future soil erosion, even prolonged
12 heavy rain occurred.

13 That could be -- cause some minor, very minor
14 erosion because the slope has been repaired, okay? But
15 beyond the slope repair area, that is the, you know,
16 have full vegetation. And that would minimize the
17 potential future risk of the surficial slope failure.

18 Q Okay. You write in your report, Although no
19 evidence of water draining and no erosion features were
20 observed during Mr. Mike Bassitt's inspection during the
21 rain event on March 23, 2024, if the concerned parties
22 desire, we recommend that an energy dissipator, such as
23 riprap, be installed on the slope at the drain outlet
24 locations to reduce the water velocity and prevent
25 potential risk of surficial soil erosion.

1 Do you see that language?

2 A Yeah.

3 Q Who is Mr. Mike Bassitt?

4 A He's HOA guy, I think.

5 Q You're speculating?

6 A I'm not speculating.

7 Q You said "I think." Who is Mr. Mike Bassitt?

8 A I just cannot recall at this time.

9 Q Is Mr. Bassitt a civil engineer?

10 A No, he's the board member.

11 Q Oh, so that refreshes your recollection
12 whether or not he's -- what his qualifications are?

13 A I'm telling you he is a board member.

14 Q Okay. Is he a civil engineer?

15 A I don't know.

16 Q Is he a geotechnical engineer?

17 A I don't know.

18 Q How did he perform his inspection on March 23,
19 2024?

20 A He just observed whether there's any water
21 coming out to the slope, where is the slope, goes to the
22 back of the slope from the top of the slope properties.

23 Q Is that the same inspection we were talking
24 about earlier where you didn't know how much water was
25 introduced to make --

1 A Well, there is no water actually coming from
2 those other pipes. That's my understanding of my
3 review.

4 Q So there was no water coming out of the other
5 pipes?

6 A No, even during the rain. At the time when he
7 reviewed the hole -- I mean, the drainpipe outlet.

8 Q Let's go to GeoTek's May 23, 2024, as-graded
9 report. GeoTek makes the recommendation and I want to
10 find out if you agree with that recommendation. They
11 write, GeoTek recommends the existing area drain system
12 at the top of the retaining wall, cease the transmission
13 of surface water into the subsurface.

14 Do you agree with that recommendation?

15 A Well, it's general advice, yes.

16 Q This can be performed by different means and
17 methods. Do you agree with that?

18 A It doesn't matter which means or method, as
19 long as the wall is maintained.

20 Q Well, what they're recommending is that the
21 surface area drains are disconnected at the top of the
22 retaining wall and cease the transmission of surface
23 water into the subsurface; correct?

24 A Yeah.

25 Q You agree with that, that surface water should

1 not be introduced into the subsurface of the slope;
2 correct?

3 A I mean, it doesn't matter. Even if you have
4 surface water pipes, you still have some amount of water
5 coming from the surface, migrate down into the
6 subsurface soil. Doesn't matter if you have perforated
7 French drain pipe or solid pipe. Water still continue
8 to go down into the bottom of the retaining wall, no
9 matter what.

10 Q But you agree with the recommendation and the
11 two systems should be separated; correct?

12 A That is not necessary to be separated. As I
13 explained to you, it would be beneficial to the systems
14 to not only intercept surface water, but also subsurface
15 water, okay?

16 Q And they provide two ways of doing that;
17 correct?

18 A What two ways?

19 Q Well, you've read this report; correct?

20 A I did.

21 Q And you don't know that they provided two
22 suggestions on how to do this?

23 A I know.

24 Q So what are the two suggestions that GeoTek
25 provided?

1 A Well, the following options in the
2 considerations. You just read -- go through.
3 Management of surface water is not a geotechnical field
4 of expertise. And civil engineers should be consulted
5 upon to provide a professional management of surface
6 water. So they defer --

7 Q Dr. Huang, is this the first time you're
8 reading this language?

9 A I reviewed their -- the report from GeoTek.
10 I'm not review every single sentence that I can
11 remember, okay? You're asking --

12 Q Okay. So summarize to me without reading the
13 report what their first method of proposed repair is and
14 what the second method is.

15 A Well, they said area drains behind the
16 retaining --

17 Q I'll let the record reflect that you're
18 reading this right now.

19 A Well, like I said, they said in their report,
20 everything can be silt, that means the water can cap,
21 okay, so they can disconnect. So there's no water
22 coming from surface going into the area drain. That's
23 one way, okay?

24 Q Were those drains capped, Dr. Huang?

25 A Drains cap? Just don't top of the grate.

1 Q But were they actually capped by the HOA or
2 anyone? Are the drains currently capped?

3 A When they capped the top of it, the -- when
4 they remove the grate, the top of that vertical pipe,
5 there's no water from the surface will go into the
6 French drain. That's what they recommend, the first
7 one.

8 Q Okay. I understand that. I've read the
9 report prior to today's deposition.

10 Now, my question to you is specific: Was that
11 actually done at slope 139?

12 A I don't know. By the time of my site
13 inspection, I do see the drain is great. It still
14 exists.

15 Q You didn't bother to check whether or not this
16 was actually done; correct --

17 A Why do I need to --

18 Q -- during your site inspection? Well, I'm
19 asking. You went on the site on May 21, 2025, and you
20 had an opportunity to conduct a visual inspection;
21 correct?

22 A Yes.

23 Q What was the state of the drains during your
24 site inspection? Were they capped or not capped?

25 A Not -- my recollection is not capped.

1 Q And what is the second recommendation that
2 GeoTek provides for?

3 A The next one is that the swale issues -- the
4 swale can be constructed behind the top of the wall to
5 help manage surface water. So in addition to the option
6 to cap, they say you may need to -- you could do the
7 construction of the drain behind the wall. And that
8 would be like either earth swale or the concrete swale
9 to direct water away.

10 At the time of my inspection, it was only
11 earth swale behind the retaining wall with the drainage
12 inlet or grate installed at distance interval.

13 Q Where does that surface swale water end up?

14 A Behind the top of the slope.

15 Q Into the French drain; correct?

16 A No, it's the surface swale. Nothing to do
17 with the French drain. French drain is down below.

18 Q Okay. So is the surface swale -- you know
19 what? Let's take it a step back.

20 When water is migrating by gravitational pull
21 on the surface of the slope and it hits the surface
22 swale, what is the function of the surface swale?

23 A Well, surface swale as the positive gradient
24 to direct water away from the site behind the retaining
25 wall. That is the surface swale.

1 Q They also recommend -- or a V-ditch; correct?

2 A The V-ditch, same thing as the swale, concrete
3 swale, earth swale, V-ditch. It's just different term.

4 Q Oh, so you observed the V-ditch?

5 A Well, I mean, this is not a V. It's like a
6 semicircle or swale, okay? In San Diego, typically,
7 they recommend a semicircle swale instead of V-ditch.

8 Q And they also write, New area drains should be
9 constructed a solid conduit and discharged to a suitable
10 location, typically the front of the curb face.

11 Do you see that?

12 A Yeah.

13 Q I suppose we're going to ask GeoTek why
14 they're making the recommendation to use a solid
15 conduit. But are you critical with the recommendation
16 to have a solid conduit instead of a perforated pipe?

17 A No, they're just saying trying to avoid the
18 surface water, you know, that's why they go back to
19 the -- you know, their opinions that a solid pipe could
20 be installed with the area drains like current
21 conditions of the French drain with area drain. And to
22 direct water away from the area.

23 Q At section 3.2, drainage, in the as-graded
24 report, GeoTek, again, provides the language the need to
25 maintain proper surface drainage and subsurface systems

1 cannot be overly emphasized. Positive site drainage
2 should be maintained at all times.

3 Do you see that language?

4 A Yes. We said so many times.

5 Q Nothing here changes your prior opinion;
6 correct?

7 A No.

8 Q Is that correct?

9 A That's correct.

10 THE COURT REPORTER: Is this a good time for a
11 break?

12 MR. ALEXANDER: I'm almost done, actually.

13 THE COURT REPORTER: Okay.

14 MR. ALEXANDER: You know what, let's take a
15 five-minute break.

16 Let's go off the record.

17 (Brief recess.)

18 MR. ALEXANDER: Let's go back on the record.

19 BY MR. ALEXANDER:

20 Q Dr. Huang, you understand you're still under
21 oath; correct?

22 A Yes.

23 Q Before the break, you testified that you
24 observed a swale or V-ditch be installed at the
25 property; correct?

1 A Yes.

2 Q Why would GeoTek be recommending the future
3 installation of a swale if it already existed?

4 A Well, that's general recommendations.

5 Q We're looking at your report again. These are
6 Appendix A, your site photographs of May 21, 2025;
7 correct?

8 A Yes.

9 Q In which photograph are we going to see this
10 V-ditch?

11 A All right. If you look at the photograph that
12 is -- let me point it out. All right. The
13 photograph -- I don't know which page -- there's --
14 well, yeah, this one you should be able to see -- move
15 to the next one. You will see the area drain and it was
16 installed behind the white fence.

17 Q Where?

18 A Let me point it out. The best photo you can
19 see is -- I don't know which page that is.

20 Q I'm looking at page 28 of 50 of --

21 A Well, I don't have page numbers, so let's see.
22 Let's count. That was the --

23 Q Allow me to do this. The photograph that
24 we're looking at together right now is titled Exterior,
25 overview, toe slope, behind retaining wall, view left;

1 correct?

2 A Yeah.

3 Q Is there any other photograph that you -- that
4 you are relying on to --

5 A Let me see.

6 Q -- to support your representation to install
7 the V-ditch?

8 A Yeah. So let me see where this photo, you
9 know, looking at, okay, so find out. Page 1 is the one
10 I'm -- okay.

11 THE COURT REPORTER: I can't hear you if you're
12 mumbling, Doctor.

13 THE WITNESS: No. I'm saying that he pointed out
14 to these photos, but he wanted me to tell him where is
15 the drainage inlet was installed with the swale along
16 the toe of the slope. I am looking at my photos, but I
17 don't have page numbers that I can tell him to scroll
18 down or scroll up to see the good photos to go tell him
19 that I do the drainage inlet was installed.

20 MR. ALEXANDER: I want to clarify the record that
21 the photo that I just described, I was stopped there at
22 the report by Dr. Huang himself. I did not select this
23 photo. I was scrolling through and he told me to stop
24 there.

25 MR. COMBS: In the interest of saving some time,

1 you could go to page 33. Looks like there are --

2 THE WITNESS: Okay. I see your photo. Let's move
3 to -- let me tell you how many pages you can move, okay?
4 One, two, three --

5 BY MR. ALEXANDER:

6 Q Well, look at my screen. I'm sharing. Tell
7 me when to stop.

8 A Okay.

9 MR. ALEXANDER: Counsel, I appreciate that
10 testimony.

11 MR. COMBS: I'm not testifying, Vasko.

12 THE WITNESS: Okay. Wait, stop right there. Do
13 you see that green drainage inlet behind the fence?

14 MR. ALEXANDER: No.

15 THE WITNESS: Move down, there's another good
16 photo. Yeah, this one. Do you see all those green in
17 the distance? That is the drainage inlet. There's
18 another one even better.

19 BY MR. ALEXANDER:

20 Q I don't see it.

21 A What do you mean you don't see it?

22 Q I don't see it. I don't know what you're
23 referring to.

24 A It was shade by the sunshade. I mean, you
25 zoom in you will see, okay? Let's look at another one.

1 Q Okay. I zoomed in. I don't see it.

2 A That is drain inlet, okay? So you look at
3 your -- let me find another one, a better one.

4 Q That's a V-ditch? Is that what -- so I'm
5 looking at a photograph and you asked me to zoom in.

6 A Move up to the --

7 Q Wait, wait, wait. I want to set the record of
8 what I'm looking at, okay, because this is going to be
9 presented at trial.

10 A Yeah.

11 Q It's page 33 of 50 of your report, and it's a
12 photograph titled Exterior, overview, earth swale and
13 drainage inlets behind retaining wall, note French drain
14 was installed below the drainage inlets, view right.

15 A That is the drainage, the French drain. That
16 is the drainage inlet. Move up. Move up. I'll tell
17 you -- yeah, move up.

18 Q I'm moving up.

19 A Okay. Slowly.

20 Q Okay.

21 A Let me see -- no, no, move up. Keep moving
22 up.

23 Q Okay.

24 A Okay. You need to move down. I think I look
25 at the wrong photos.

1 Q Okay. I'm going to move down now.

2 A Okay. Move down slowly, slowly. I'll tell
3 you the good photos you can see right there. Okay.
4 Hold on. Move down. Move down. One more. One more.
5 One more. One more. So below, yep, right here.

6 Q Okay.

7 A Did you see that green?

8 Q I see that is facing west, yes.

9 A Your right angle, you zoom in, you will see
10 the drainage inlet right there.

11 Q Wait, hold on. Let's establish the photograph
12 that we're looking at here. It's titled Exterior,
13 overview, toe of slope, note earth swale and drainage
14 inlets exist behind retaining wall, view right.

15 A Yes.

16 Q Okay. What do you want me to do now?

17 A Zoom in.

18 Q Okay.

19 A You see -- wait, wait, wait, okay. Do you see
20 that drainage inlet right here?

21 Q This drainage inlet?

22 A Yes.

23 Q Where is that drainage inlet connected?

24 A This one is tied into the subsurface French
25 drain.

1 Q Oh, so it goes back into the same French drain
2 that we've been talking about?

3 A Well, that's the existing condition.

4 Q Okay. Isn't that the system that GeoTek is
5 recommending to be separated from the French drain?

6 A Well, it's their intention.

7 Q Okay. Which you agreed with; correct?

8 A Not really, I told you. With the existing
9 French drain would be beneficial to collect both water
10 from surface and the groundwater. They already say in
11 their report, they said the existing French drain system
12 can be left. They don't ask them for removal of the
13 French drain, okay?

14 Q Do you agree that the surface area drains
15 should be disconnected from the French drain, Dr. Huang?

16 A Well, I said so many times. There's no need
17 to disconnect.

18 Q Okay. All right. Have we covered every
19 opinion that you anticipate providing in trial?

20 A I think so.

21 Q No, I need a definitive answer on that.

22 A Yes.

23 Q You're familiar with the Kennemur case;
24 correct?

25 A Which case?

1 Q Kennemur.

2 A I don't know that case.

3 Q We talked about it earlier that if you
4 intend -- well -- strike that.

5 We talked about it earlier -- and I'm making
6 this representation to you again right now -- that if
7 you attempt to introduce any opinion at trial or make
8 any opinion at trial that you did not provide me with
9 today, I'm going to move to exclude that opinion under
10 Kennemur.

11 Okay?

12 A Okay.

13 Q You can talk to your counsel about it in case
14 you have questions. I'm going to give you the last
15 opportunity here.

16 Have we discussed all the opinions that you
17 intend to rely on or to offer at trial in this matter?

18 A Yes.

19 Q Have we discussed all the evidence that you
20 intend to use at trial to support your opinions?

21 A Yes, except that was the Building Code that
22 you were talking about that we discussed. Actually, it
23 was the Uniform Building Code, not the California
24 Building Code. At the time, it was 1987, and the
25 applicable Building Code would be UBC 1985, before the

1 1987 grading plan was prepared.

2 Q So now you have identified Uniform Building
3 Code 1985 Edition; correct?

4 A Well, that's before the construction or the
5 grading print was prepared. And that should be the
6 applicable Building Code -- Uniform Building Code 1985
7 version for the preparation of the grading plan.

8 Q Okay. What section of the Uniform Building
9 Code 1985 version are you relying on in formulating your
10 opinions at trial?

11 A I don't have that -- that Code is too old. I
12 have to do research to find out exactly which sections
13 to talk about that information.

14 Q Okay. So you don't know; correct?

15 A I know it's there, exist. I just don't know
16 which section.

17 Q Okay. Those are all the questions I have for
18 you, Mr. Huang.

19 A No more?

20 Q No more.

21 A I thought you have more than this.

22 Q No, I have enough. Thank you.

23 A Okay. So I will conclude my deposition today?

24 Q Yes.

25 THE COURT REPORTER: Hang tight, though, Doctor.

1 MR. ALEXANDER: We can go off the record, unless
2 Mr. Combs wants to be on the record on how many
3 milliseconds Ms. Mann has to pay him directly on this.

4 MR. COMBS: We can go off the record to discuss
5 that.

6 THE COURT REPORTER: Dr. Huang, would you like to
7 read and sign or waive signature?

8 (Discussion held off the record.)

9 MR. COMBS: I will pay for the PDF so the doctor
10 can read and sign.

11 MR. ALEXANDER: We're back on the record for a
12 brief second. I'm marking Exhibit 8, which is
13 Dr. Huang's report.

14 And now we're back off and the deposition is
15 concluded.

16 (Exhibit 8 marked.)

17 (Deposition concluded at 3:19 p.m.)

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

PENALTY OF PERJURY

I, _____, do hereby declare under penalty of perjury that I have read the foregoing transcript; that I have made any corrections as appear noted, in ink, initialed by me, or attached hereto; that my testimony as contained herein, as corrected, is true and correct.

EXECUTED this _____ day of _____, 2026, at _____, _____ (City) (State).

Fei-Chiu Huang

1 STATE OF CALIFORNIA) ss:
2 COUNTY OF ORANGE)

3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


I, OLIVIA BUTELO, CSR No. 13651, do hereby
certify:

That the foregoing deposition testimony was
taken before me at the time and place therein set forth
and at which time the witness was administered the oath;

That the testimony of the witness and all
objections made by counsel at the time of the
examination were recorded stenographically by me, and
were thereafter transcribed under my direction and
supervision, and that the foregoing pages contain a
full, true and accurate record of all proceedings and
testimony to the best of my skill and ability.

I further certify that I am neither counsel for
any party to said action, nor am I related to any party
to said action, nor am I in any way interested in the
outcome thereof.

IN WITNESS WHEREOF, I have subscribed my name
this 4th day of February, 2026.



OLIVIA BUTELO, CSR No. 13651